

**FILED**

October 17, 2025

CLERK, U.S. DISTRICT COURT  
WESTERN DISTRICT OF TEXAS

**UNITED STATES DISTRICT COURT  
WESTERN DISTRICT OF TEXAS  
EL PASO DIVISION**

BY: Diego Cesena  
DEPUTY

**LEAGUE OF UNITED LATIN § 3:21-CV-00259-DCG-JES-JVB  
AMERICAN CITIZENS, ET AL §**

**V. § 8:59 A.M. TO 12:59 P.M.**

**GREG ABBOTT, IN HIS §  
OFFICIAL CAPACITY AS §  
GOVERNOR OF THE STATE OF §  
TEXAS, ET AL § OCTOBER 4, 2025**

**PRELIMINARY INJUNCTION HEARING  
BEFORE THE HONORABLE DAVID C. GUADERRAMA,  
HONORABLE JERRY E. SMITH  
AND HONORABLE JEFFREY V. BROWN  
DAY 4 (MORNING SESSION) OF 9 DAYS**

**APPEARANCES:**

**FOR THE PLAINTIFFS LULAC AND MALDEF:**

Ms. Nina Perales  
MALDEF  
110 Broadway Avenue, Suite 300  
San Antonio, Texas 78205  
(210) 224-5382  
nperales@maldef.org  
and  
Mr. Javier Silva  
MALDEF  
100 North LaSalle Street  
Suite 1900  
Chicago, Illinois 60602  
(312) 427-0701  
info@maldef.org

**FOR THE GONZALES PLAINTIFFS:**

Mr. David Fox  
Mr. Richard A. Medina  
Elias Law Group  
250 Massachusetts Avenue NW  
Suite 400  
Washington, DC 20001  
(202) 987-5010  
dfox@elias.law  
rmedina@elias.law

1 **APPEARANCES: (continued)**

2 **FOR THE PLAINTIFF MEXICAN AMERICAN LEGISLATIVE CAUCUS:**

Mr. Sean McCaffity  
3 Mr. George (Tex) Quesada  
Sommerman, McCaffity & Quesada, LLP  
4 3811 Turtle Creek Boulevard, Suite 1400  
Dallas, Texas 75219  
5 (214) 720-0720  
smccaffity@textrial.com  
6 quesada@textrial.com

7 **FOR THE PLAINTIFF TEXAS NAACP:**

Ms. Lindsey Cohan  
8 Dechert LLP  
515 Congress Avenue, Suite 1400  
9 Austin, Texas 78701-3516  
(512) 394-3027  
10 lindsey.cohan@dechert.com  
and  
11 Mr. Robert Weiner  
Mr. Jeremy Lewis  
12 Mr. David Rollins-Boyd  
Ms. Jennifer Nwachukwu  
13 The Lawyers Committee for Civil Rights Under Law  
1500k NW  
14 Washington, DC 20005  
(617) 893-1322  
15 rweiner@lawyerscommittee.org  
drollins-boyd@lawyerscommittee.org  
16 jlewis@lawyerscommittee.org  
jnwachukwu@lawerscommittee.org  
17 and  
Mr. Carroll Rhodes  
18 Law Offices of Carroll Rhodes  
P.O. Box 588  
19 Hazlehurst, MI 39083  
(601) 894-4323

20 **FOR THE BROOKS PLAINTIFFS:**

21 Mr. Chad W. Dunn  
Brazil & Dunn  
22 1900 Pearl Street  
Austin, Texas 78746  
23 (512) 717-9822  
chad@brazilanddunn.com  
24  
25

1 **APPEARANCES: (continued)**

2 **FOR THE BROOKS PLAINTIFFS:**

3 Mr. Mark P. Gaber  
4 Mark P. Gaber, PLLC  
5 P.O. Box 34481  
6 Washington, DC 20043  
7 (715) 482-4066  
8 mark@markgaber.com

9 and  
10 Ms. Molly Elizabeth Danahy  
11 Molly E. Danahy, Esq  
12 P.O. Box 51  
13 Helena, Montana 76112  
14 (406) 616-3058  
15 danahy.molly@gmail.com

16 and  
17 Ms. Sonni Waknin  
18 Sonni Waknin, Esq  
19 6417 N. Figueroa Street, #10  
20 Los Angeles, California 90042  
21 (732) 610-1283  
22 sonniwaknin@gmail.com

23 and  
24 Mr. Jesse Gaines  
25 Jesse L. Gaines Attorney at Law  
6015 Meadowbrook Drive  
Fort Worth, Texas 76103  
(817) 714-9988  
gainesjess@ymail.com

**FOR THE CONGRESSIONAL INTERVENORS:**

Mr. Gary L. Bledsoe  
The Bledsoe Law Firm  
6633 East Highway 290, Suite 208  
Austin, Texas 78723-1157  
(512) 322-9992  
garybledsoe@sbcglobal.net  
gbledsoe@thebledsoelawfirm.com

and  
Mr. Robert Notzon  
Law Offices of Robert Notzon  
1502 West Avenue  
Austin, Texas 78701  
(512) 474-7563  
robert@notzonlaw.com

25

1 **APPEARANCES: (continued)**

2 **FOR THE STATE DEFENDANTS:**

3 Mr. Ryan G. Kercher  
 4 Mr. William Francis Cole  
 5 Mr. William D. Wassdorf  
 6 Mr. Steven Loomis  
 7 Ms. Ali Thorburn  
 8 Mr. Zachary Berg  
 9 Mr. David Bryant  
 10 Mr. Anthony Rodregous  
 11 Office of Texas Attorney General  
 12 P.O. Box 12548  
 13 MC 009  
 14 Austin, Texas 78711  
 15 (512) 936-2613  
 16 ryan.kercher@oag.texas.gov  
 17 william.cole@oag.texas.gov  
 18 will.wassdorf@oag.texas.gov  
 19 steven.loomis@oag.texas.gov  
 20 ali.thorburn@oag.texas.gov  
 21 zachary.berg@oag.texas.gov  
 22 david.bryant@oag.texas.gov  
 23 anthony.rodregous@oag.texas.gov

24 and  
 25 Mr. William Thomas Thompson  
 26 Lehotsky Keller Cohn LLP  
 27 Special Litigation Unit  
 28 408 W. 11st Street, 5th Floor  
 29 Austin, Texas 78701  
 30 (210) 286-0806  
 31 will@lkefirm.com

32 Also in Attendance:  
 33 Mr. Richard Rienstra

34 Court Reporter:  
 35 Laura Wells, RPR, RMR, CRR  
 36 601 Rosenberg, Suite 615  
 37 Galveston, Texas 77550

38 Proceedings recorded by mechanical stenography.  
 39 Transcript produced by computer-assisted transcription.

40  
 41  
 42  
 43  
 44  
 45

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**DAY 4 - AM SESSION  
(PRELIMINARY INJUNCTION)**

	<b>Page</b>
<b>OCTOBER 4, 2025</b>	
Reporter's Certificate.....	179

**ALPHABETICAL**

**WITNESSES**

**Page**

<b>MATTHEW BARRETO, Ph.D,</b>	
Direct Examination by Mr. Dunn	21
Cross-Examination by Mr. Kercher	128

**SHEMAIAH STOKES**

Direct Examination by Mr. Lewis	6
Cross-Examination by Mr. Bryant	18
Redirect Examination by Mr. Lewis	19

**EXHIBIT INDEX**

**PLAINTIFFS' EXHIBITS**

<b>EXHIBIT NUMBER</b>	<b>OFFERED</b>	<b>ADMITTED</b>
Brooks Exhibit	54	54
Numbers 269, 284, and 284		

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**PROCEEDINGS**

**(Call to order of the Court.)**

08:59:39

JUDGE GUADERRAMA: Good morning, everyone. It's a beautiful day today. Also a special day. It's Ms. Laura's birthday, and so we want to wish her a happy birthday.

Thank you. Be seated, please.

JUDGE BROWN: Speak slowly for her today, please.

MR. LEWIS: I'm going to try my very best.

09:00:06

Good morning, Your Honors. Jeremy Lewis on behalf of Plaintiff Texas NAACP.

Plaintiffs call Shemaiah Stokes to the stand.

JUDGE GUADERRAMA: Good morning, Ms. Stokes.

Raise your right hand to receive the oath.

09:00:50

Do you solemnly swear or affirm the testimony that you will give in this proceeding will be the truth, the whole truth, and nothing but the truth so help you God?

THE WITNESS: Yes.

09:00:58

JUDGE GUADERRAMA: Thank you, ma'am. Have a seat right there in that chair. And if you would be so kind as to roll into the microphone, kind of lean into it a little, it should get louder.

**SHEMAIAH STOKES,**

having been first duly sworn, testified as follows:

09:01:05

**DIRECT EXAMINATION**

1 BY MR. LEWIS:

2 Q. Good morning, Ms. Stokes.

3 A. Good morning.

4 Q. Can you please state your name for the Court, spelling  
09:01:14 5 your first and last.

6 A. Shemaiah Stokes, S-h-e-m-a-i-a-h, S-t-o-k-e-s.

7 Q. What race do you identify as, Ms. Stokes?

8 A. Black.

9 Q. Can you tell the Court what city and county you reside  
09:01:34 10 in?

11 A. I live in San Antonio in Bexar County.

12 Q. How long have you lived in Bexar County?

13 A. Since October 2020.

14 Q. And have you lived in San Antonio that entire time?

09:01:46 15 A. Yes.

16 Q. And are you a registered voter at your current  
17 address?

18 A. Yes.

19 Q. Do you regularly vote in the state and federal  
09:01:54 20 elections?

21 A. Yes.

22 Q. And do you plan to vote in future state and federal  
23 elections?

24 A. Yes.

09:02:01 25 Q. Now, could you just briefly describe your educational

1 background?

2 **A.** Yes. I have a bachelor's in psychology. And  
3 currently I'm pursuing a master's in clinical mental  
4 health counseling.

09:02:12 5 **Q.** What do you currently do for a living?

6 **A.** I'm a parent educator at a children's shelter.

7 **Q.** Could you tell us a bit about what you do as a parent  
8 educator?

9 **A.** Yes. I help -- I teach parents typically that come to  
10 us through CPS cases how to better relate to and create  
11 better relationships with their children.

12 **Q.** And what kind of people do you serve in that role?

13 **A.** All kinds. But typically underserved, mostly people  
14 of color. Usually we get some unhoused people. Things  
09:02:52 15 like that.

16 **Q.** Do you serve communities throughout Bexar County in  
17 that role?

18 **A.** Yes.

19 **Q.** How long have you had that job?

09:02:58 20 **A.** I've had this job for a little over a year.

21 **Q.** What did you do before that?

22 **A.** I was an early intervention specialist at Brighton  
23 Center.

24 **Q.** Can you tell us a bit what you did in that role?

09:03:09 25 **A.** Yes. I helped parents, coached parents in ways to

1 help their developmentally delayed children ages zero  
2 through three reach milestones.

3 **Q.** Was that also throughout Bexar County?

4 **A.** Yes.

09:03:22 5 **Q.** Did you travel throughout Bexar County for that role?

6 **A.** I traveled throughout the Live Oak area, but the  
7 company serviced all of Bexar County.

8 **Q.** And how long did you do that?

9 **A.** Two years.

09:03:33 10 **Q.** And what job did you have before you did that?

11 **A.** I was a community case manager for adults with mental  
12 illnesses exacerbated by substance abuse.

13 **Q.** Can you tell us a little bit about that one, too?

14 **A.** Our population was in high risk, underserved. They  
09:03:54 15 battled with illness and addiction. And so I spent a lot  
16 of time in mental health settings. And that was an array  
17 of clients. People of color as well as not.

18 **Q.** That was likewise throughout Bexar County?

19 **A.** Yes.

09:04:08 20 **Q.** And you also traveled throughout Bexar County for that  
21 role?

22 **A.** Yes.

23 **Q.** And are you currently part of any civic groups?

24 **A.** Yes. The NAACP.

09:04:18 25 **Q.** And are you familiar with the mission of the Texas

1 NAACP?

2 **A.** Generally, yes.

3 **Q.** Can you tell us what you generally understand that  
4 mission to be?

09:04:25 5 **A.** To just make sure that Black people have equal footing  
6 in spaces where we need to be represented.

7 **Q.** And why is that important to you?

8 **A.** Well, everyone should have equal footing in spaces,  
9 especially political spaces, but I'm also a Black person  
10 and my children are Black people.

11 **Q.** So through this civic engagement and your prior jobs,  
12 do you feel like you have kind of gotten to know the Bexar  
13 County community generally?

14 **A.** Yes.

09:04:53 15 **Q.** I'd like to talk about the Bexar County community a  
16 little bit now. I'm going to pull up what we've marked as  
17 Texas NAACP Exhibit 222.

18 MR. LEWIS: I think we will be filing an updated  
19 exhibit list later today, so I'm not sure if that's on  
09:05:07 20 there quite yet. We have copies for the Court.

21 MS. COHAN: May I approach?

22 BY MR. LEWIS:

23 **Q.** I'll be showing this. I'm not good with my  
24 handwriting.

09:05:44 25 Is this the image you have in front of you,

1 Ms. Stokes?

2 **A.** Yes.

3 **Q.** Can you tell the Court what that image is?

4 **A.** U.S. Congressional Districts SB-6 Conference Committee  
5 Report File Plan C2193.

09:05:54

6 **Q.** Just for the record, I'll represent to you this is a  
7 2021 enacted congressional plan for the state of Texas.

8 What congressional district do you live in under this  
9 plan?

09:06:06

10 **A.** 35.

11 **Q.** I'm going to zoom in on CD 35 here. CD 35 is going to  
12 be the one highlighted in blue there.

13 Can you just tell the Court what counties made up  
14 CD 35 under the 2021 plan?

09:06:24

15 **A.** Yes. Bexar, Comal, Hays, and Travis.

16 **Q.** Now I'm just going to switch to a more detailed  
17 version of that same CD 35 highlighted in yellow here.

18 Now, are you generally familiar with the area  
19 highlighted in yellow here?

09:06:43

20 **A.** Yes.

21 **Q.** And can you explain to the Court how you are familiar  
22 with this area?

23 **A.** I live in the lower area, and I have traveled to and  
24 through the higher area.

09:06:56

25 **Q.** So just to start, I'm going to zoom in on that lower

1 area of that highlighted area there, the portion that you  
2 live.

3 MR. LEWIS: May I approach, Your Honor?

4 JUDGE GUADERRAMA: Yes, sir.

09:07:10

5 BY MR. LEWIS:

6 **Q.** If you could, just mark on that map where you  
7 currently live generally.

8 **A.** (Witness complying.)

9 **Q.** Thank you.

09:07:35

10 Now can you tell us a bit about the area you marked  
11 here where you live?

12 **A.** Yes. It is heavily urban. And it is in San Antonio.  
13 There's always construction. But I live near -- my church  
14 is ten minutes away. My mother-in-law, their family is  
15 about six minutes away. I live near a few schools. My  
16 favorite restaurants are about 15 minutes away, which is  
17 really important to me. Yeah.

09:07:53

18 **Q.** And can you tell us a bit about the demographics of  
19 that area?

09:08:09

20 **A.** I mostly see Black people and people of color in my  
21 area.

22 **Q.** And do you also see other Black communities on the map  
23 here in the highlighted portion?

24 **A.** Yes.

09:08:20

25 **Q.** And can you tell us where those are?

1 **A.** Yes. In the Fort Sam Houston, Kirby, Windcrest,  
2 Converse, Live Oak area.

3 **Q.** How are you familiar with those areas?

09:08:40

4 **A.** I live and travel through and go to banks in those  
5 areas.

6 **Q.** Can you tell us what type of things you go to in those  
7 areas?

8 **A.** Again, my church. Mostly for me it is restaurants.  
9 That's where I spend a lot of my time. So yeah.

09:08:50

10 **Q.** And are there any other, you know, cultural community  
11 hubs in that highlighted portion?

12 **A.** Yes. In the downtown area we have an African American  
13 museum. And they do, like, tours on the Riverwalk, things  
14 like that, to talk about the Black history of San Antonio.

09:09:08

15 **Q.** Thank you.

16 I am going to move up north to this middle section of  
17 old CD 35 here. And are you generally familiar with this  
18 area?

19 **A.** Yes.

09:09:22

20 **Q.** And how do you know this area?

21 **A.** There is a Buc-ee's in New Braunfels that I frequent.  
22 And when I was pregnant, my OB specialist was there. I  
23 have -- before I was engaged -- dated a few men that are  
24 from San Marcos and -- yeah.

09:09:39

25 **Q.** Would you describe it as being urban, suburban, or

1 rural?

2 **A.** Suburban.

3 **Q.** Do you know of any Black communities in this  
4 highlighted portion of CD 35?

09:09:49 5 **A.** Not in New Braunfels specifically but in San Marcos  
6 because of experiences.

7 **Q.** Thank you.

8 Now I'm going to move up to the final top section of  
9 old CD 35 here. And are you similarly generally familiar  
09:10:12 10 with this portion of CD 35?

11 **A.** Yes.

12 **Q.** And how are you familiar with this area?

13 **A.** The airport. And I go there for events. And, again,  
14 restaurants.

09:10:23 15 **Q.** And do other Black members of your community also go  
16 there for things like that?

17 **A.** Yes. They have concerts and R&B nights and, again,  
18 restaurants.

19 **Q.** How would you describe this area? More urban,  
09:10:37 20 suburban, or rural?

21 **A.** This is urban.

22 **Q.** Thank you.

23 Now I'm going to switch over to what we've marked  
24 Texas NAACP PI Exhibit 223. That will also be part of the  
09:10:55 25 amended exhibit list that we file today.

1 And, Ms. Stokes, can you tell us what the image on the  
2 screen is?

3 **A.** Yes. U.S. Congressional Districts Proposed Plan  
4 C2333.

09:11:42 5 **Q.** I will represent to you that is the brand-new 2025  
6 enacted congressional plan.

7 And what congressional district do you live in under  
8 this map?

9 **A.** 35.

09:11:55 10 **Q.** So I'm going to zoom in on new CD 35 under the map  
11 here. And can you tell the Court what counties comprise  
12 new CD 35 under this map?

13 **A.** Bexar, Wilson, Karnes, and Guadalupe.

14 **Q.** And like we did last time, I'm going to put up a more  
09:12:21 15 detailed version of that same new CD 35 District.

16 Now, are you familiar with some of the areas inside  
17 this highlighted portion of the district?

18 **A.** Some, yes.

19 **Q.** Zooming in on the Bexar County portion of this  
09:12:42 20 district here.

21 MR. LEWIS: May I approach, Your Honor?

22 JUDGE GUADERRAMA: Yes, sir.

23 BY MR. LEWIS:

24 **Q.** Can you just mark on this map where you currently  
09:12:55 25 live?

1 **A.** (Witness complying.)

2 **Q.** Thank you.

3 And do you see any other Black communities in this  
4 highlighted portion of new CD 35?

09:13:18

5 **A.** Yes. The ones that I mentioned previously, the Live  
6 Oak, Windcrest, what's left of Converse, Universal City  
7 area.

8 **Q.** What about those other ones you mentioned previously?

9 **A.** No.

09:13:33

10 **Q.** Those are no longer in the district?

11 **A.** No.

12 **Q.** What other changes do you see about CD 35 here?

13 **A.** It has expanded out a little bit further into the more  
14 rural areas.

09:13:56

15 **Q.** Are you talking about those areas in Wilson and Karnes  
16 County and Guadalupe County?

17 **A.** Yes.

18 **Q.** I'm going to zoom out to the Karnes and Wilson County  
19 portion of new CD 35. Do you know of any Black

09:14:19

20 communities in this area?

21 **A.** I do not.

22 **Q.** Do you have any reason to go to this area in your  
23 day-to-day life?

24 **A.** No. Not in my day-to-day life.

09:14:28

25 **Q.** Do you know any Black members of your community who go

1 to this area regularly in their day-to-day life?

2 **A.** No.

3 **Q.** I am going to switch over and show the Guadalupe  
4 portions of the new CD 35. Not including the area we just  
5 talked about here where you live, do you know of any Black  
6 communities in this area?

09:14:47

7 **A.** No.

8 **Q.** Do you have any reason to go to this area in your  
9 day-to-day life?

09:14:54

10 **A.** Not in my day-to-day life.

11 **Q.** Do you know any Black residents in your community who  
12 go to this area regularly?

13 **A.** No.

14 **Q.** And, Ms. Stokes, what do you feel the impact is of  
15 being put in a congressional district with these new  
16 portions of CD 35 that you aren't so familiar with?

09:15:06

17 **A.** I've traveled to, like, La Vernia and into Guadalupe  
18 County twice in previous -- like for previous employment.  
19 They are really, really rural areas. I feel like the  
20 impact is that we have different needs in the community  
21 which I live in that was the old 35, because we had more  
22 urban things than those that live out with the horses and  
23 the farms.

09:15:26

24 **Q.** And what do you feel that -- what kind of impact do  
25 you think that will have on -- when it comes to elections

09:15:45

1 in new CD 35?

2 **A.** I think it will dilute the voice of, you know, people  
3 voting in the urban area.

4 MR. LEWIS: I have no further questions. I pass  
09:15:58 5 the witness, Your Honor.

6 JUDGE GUADERRAMA: Plaintiffs. State?

7 Mr. Bryant.

8 **CROSS-EXAMINATION**

9 BY MR. BRYANT:

09:16:18 10 **Q.** Ms. Stokes. My name is David Bryant with the Attorney  
11 General's office representing the state defendants. Thank  
12 you for traveling out here to testify. I just have a few  
13 questions of you.

14 Are you currently represented in congress by a Black  
09:16:36 15 member of congress?

16 **A.** Are you asking me who my congressperson is?

17 **Q.** That's part of that answer, yes.

18 **A.** No. I'm not currently.

19 **Q.** And have you been represented in the past at any time  
09:16:51 20 by a Black member of congress since you have been in San  
21 Antonio?

22 **A.** Not that I'm aware of.

23 **Q.** Are you a Democrat?

24 **A.** I typically vote Democrat.

09:17:10 25 **Q.** And you testified that you regularly vote. Do you

1 regularly vote for Democrats?

2 **A.** Typically.

3 **Q.** And would you like to see more Democratic members in  
4 Congress representing Texas?

09:17:21 5 **A.** I would like to see more members in congress who  
6 represent the needs that I feel are important.

7 **Q.** Would you like to see more Democrats representing  
8 Texas in congress?

9 **A.** I would like to see members of congress represent the  
10 needs --

11 JUDGE SMITH: Excuse me. That was a "yes" or  
12 "no" question. He has asked it twice. Answer the  
13 question that he has asked you.

14 Why don't you repeat your question.

09:17:48 15 MR. BRYANT: Yes, Your Honor.

16 BY MR. BRYANT:

17 **Q.** Would you like to see more Democrats representing  
18 Texas in Congress?

19 **A.** Yes.

09:17:57 20 MR. BRYANT: Pass the witness.

21 MR. LEWIS: Just briefly, Your Honor.

22 **REDIRECT EXAMINATION**

23 BY MR. LEWIS:

24 **Q.** Ms. Stokes, if you felt that there were Republican  
09:18:13 25 candidates who represented your interests and the needs of

1 your community, would you vote for them and like to see  
2 them in Congress?

3 **A.** Yes.

09:18:22 4 MR. LEWIS: That's all I have, Your Honor. Thank  
5 you.

6 JUDGE SMITH: Just a quick question.

7 Do you have any problem with the plan that was in  
8 place before the 2025 special session enacted the plan  
9 that's now being reviewed?

09:18:37 10 THE WITNESS: Can you repeat it? I'm sorry.

11 JUDGE SMITH: Yes.

12 The plan that has been in place for the last couple of  
13 congressional elections, the one where you started off  
14 with your map and said you live in 35 and you talked about  
09:18:52 15 the areas that you were familiar with.

16 Do you have any problem with that plan?

17 THE WITNESS: The 2021 plan you are saying?

18 JUDGE SMITH: That's right.

19 THE WITNESS: No.

09:19:02 20 JUDGE SMITH: Thank you.

21 MR. BRYANT: No further questions, Your Honor.

22 JUDGE GUADERRAMA: Mr. Lewis, may this witness be  
23 permanently excused?

24 MR. LEWIS: Yes, Your Honor.

09:19:10 25 MR. BRYANT: Yes, Your Honor.

1 JUDGE GUADERRAMA: Ms. Stokes, thanks so much for  
2 coming down. You are excused and free to go, ma'am.

3 Who is your next witness?

4 MR. DUNN: The plaintiffs call Dr. Matt Barreto.

09:19:29

5 Dr. Barreto is taking the witness stand with black and  
6 white copies of his three reports.

7 JUDGE GUADERRAMA: Good morning, Dr. Barreto. If  
8 you would raise your right hand, sir.

09:19:47

9 Do you solemnly swear or affirm the testimony that you  
10 will give in this proceeding will be the truth, the whole  
11 truth, and nothing but the truth so help you God?

12 THE WITNESS: I do.

13 JUDGE GUADERRAMA: Thank you, sir. Have a seat,  
14 please.

09:19:56

15 THE WITNESS: Thank you.

16 **MATTHEW BARRETO, Ph.D,**

17 having been first duly sworn, testified as follows:

18 **DIRECT EXAMINATION**

19 BY MR. DUNN:

09:19:59

20 **Q.** Please tell us your name.

21 **A.** My name is Matt Barreto.

22 **Q.** And are you --

23 **A.** Do you want me to spell it? B-a-r-r-e-t-o.

24 **Q.** The Court has heard from you three times in this

09:20:11

25 matter; is that true?

1 **A.** That sounds right.

2 **Q.** But just to reintroduce yourself, can you give us a  
3 bit of your background?

09:20:23

4 **A.** Sure. I am currently a full professor with tenure of  
5 political science and Chicano studies at the University of  
6 California in Los Angeles.

7 And I'm also the faculty director of the UCLA Voting  
8 Rights Project.

09:20:38

9 Before that, I was a full professor of political  
10 science at the University of Washington in Seattle for  
11 about ten years.

12 **Q.** All right. Where did you grow up and get your  
13 education and that sort of thing?

09:20:50

14 **A.** I was born in San Juan, Puerto Rico. I then moved to  
15 the Kansas City area, and I went to -- grew up on the  
16 Kansas side, went to high school and graduated in Topeka,  
17 Kansas.

18 I got a bachelor's degree in political science from  
19 Eastern New Mexico University.

09:21:06

20 I then pursued graduate education in California and  
21 completed a Ph.D at University of California at Irvine in  
22 2005 in political science and political methodology.

23 **Q.** Who was your advisor at the University of Irvine?

24 **A.** Dr. Bernard Grofman.

09:21:25

25 **Q.** What notoriety does he have, if any, with regard to

1 voting rights?

2 **A.** He was an expert witness and political scientist, data  
3 scientist, in the *Thornburg v. Gingles* case, which is very  
4 well-known now. And, in fact, I teach about it in many of  
5 my classes. And he was the expert who analyzed racially  
6 polarized voting in that case.

09:21:42

7 **Q.** Was he cited by the United States Supreme Court in  
8 that case?

9 **A.** Yes, he was.

09:21:51

10 **Q.** Is his experience with racially polarized voting part  
11 of the reason you sought him out as an advisor?

12 **A.** Yes. That was a topic -- I wasn't familiar with that  
13 exact phrase, but that was a topic of the voting patterns  
14 of racial and ethnic minorities in the United States that  
15 I was quite interested in. And he had established  
16 expertise, and I was very happy to be able to work  
17 directly with him at Irvine.

09:22:09

18 **Q.** With regard to Dr. Grofman, has he trained a number of  
19 other specialists in racially polarized voting?

09:22:21

20 **A.** Yes. Over the years, before I was there and after I  
21 left, he was well-known at Irvine for training students.  
22 Some of them went on to continue to study voting rights.  
23 Others were more data scientists and professors. But he  
24 was a very highly reputable professor.

09:22:41

25 **Q.** Have you continued that training and mentorship on

1 racially polarized voting in your capacity now as a full  
2 professor?

3 **A.** I have.

4 **Q.** Approximately how many students have you trained?

09:22:50

5 **A.** Ph.D students you mean?

6 **Q.** Yes.

7 **A.** Between my work at the University of Washington, where  
8 I was for about ten years, and now at UCLA, where I have  
9 been another ten years, I've probably been the primary

09:23:06

10 dissertation advisor of about 20 students and then a  
11 committee member, secondary committee member for probably  
12 another 20 students. So somewhere -- maybe as many as 40.

13 **Q.** I want to call your attention to Brooks Exhibit 269,  
14 which is on your screen, at page 70. And you have a list  
15 here of a number of people. Who are these?

09:23:26

16 **A.** I see this. This is -- the ones we're looking at, at  
17 least at the top with the bullet points, are the students  
18 who earned their Ph.D under my training and I was the  
19 committee chair.

09:23:39

20 **Q.** Are a number of these now testifying experts in voting  
21 rights cases?

22 **A.** Yes. As I look at the names, there is a number there  
23 who had voting rights or voting rights methodology as part  
24 of their dissertation. And many of them have gone on to  
25 practice and write expert reports and offer testimony.

09:23:54

1 Q. I note you notice the appointment universities for  
2 each of these professors, but have you updated that?

3 A. Updated their appointments? No. The practice is to  
4 put the appointment where they got their job. And so  
5 essentially under my tutelage these are the universities  
6 where they landed a job and became a professor. And then  
7 sometimes they move on and go to other places.

8 Q. And then you show here at the bottom of this page a  
9 list of other individuals for which you were a committee  
10 member. What does that mean?

11 A. So typically a dissertation committee will consist of  
12 anywhere between three to five faculty members and experts  
13 that have expertise in that student's topic that chair or  
14 co-chair the committee who just has the primary  
15 responsibility of working closely with the student,  
16 advising especially their research design and methodology.  
17 But then they need to hear from other people, sometimes  
18 even get competing feedback. That's part of the  
19 peer-review process.

20 And so these additional students are ones where  
21 another faculty member might have been the lead  
22 chairperson of their committee, but I was involved in  
23 training and overseeing their dissertation as well.

24 Q. How many Ph.D students do you have right now?

25 A. Right now I have probably four that are directly under

1 my supervision and probably another six that I'm working  
2 with. So maybe ten out of our active students.

3 **Q.** Now I also have an appointment at UCLA; is that true?

4 **A.** That's right.

09:25:24

5 **Q.** Have I served with you in the capacity as a committee  
6 member for dissertation committees?

7 **A.** Yes. My appointment is in political science. I  
8 believe yours is in the school of public affairs and law  
9 school. But through the Voting Rights Project some

09:25:37

10 students have sought you out and asked you to serve as a  
11 dissertation committee member.

12 **Q.** All right. I'd like to call your attention to Loren  
13 Collingwood in particular.

14 How did you get to know him?

09:25:50

15 **A.** He was I think the first student I recruited at the  
16 University of Washington. And he was the first student I  
17 had that came in directly to work with me. And so one of  
18 my -- one of the oldest students that I've had and had the  
19 longest relationship with.

09:26:11

20 **Q.** And has he gone on to other jobs at this point?

21 **A.** Yes.

22 **Q.** All right. We heard some testimony in here yesterday  
23 about a case called *Pierce* out of North Carolina.

24 Are you familiar with this?

09:26:22

25 **A.** Yes.

1 Q. What is your involvement in that case?

2 A. I worked on an early portion of that case as a -- and  
3 wrote an expert report for a PI.

09:26:36

4 Q. And give us a sense of what the scope of that was,  
5 when you were hired, how long it lasted, that sort of  
6 thing.

09:26:50

7 A. My recollection was -- I didn't have a lot of  
8 involvement. My recollection was that was in November of  
9 2023, that some lawyers who were working directly with the  
10 governor of North Carolina reached out to me and said they  
11 had a very fast moving timeline and would I be available  
12 to analyze racially polarized voting for a PI and that  
13 they needed a report right away.

14 Q. Did you do that?

09:27:02

15 A. I did.

16 Q. What does "right away" mean?

09:27:16

17 A. They had called me and said they had to turn something  
18 in I think, you know, within less than a week. It might  
19 have been five days. And they had just got notice -- the  
20 person I talked to told me, you know, two or three times  
21 that this was something the governor really wanted and  
22 that this was the law firm that represented him. And so  
23 they were trying to really make sure that they could put  
24 their best foot forward, and they wanted to get a report  
25 out right away. So it was about four or five days, I

09:27:29

1 think, once I got the data and the analysis.

2 **Q.** At some point did you do another kind of analysis in  
3 addition to racially polarized voting?

09:27:43

4 **A.** Yes. It started with racially polarized voting  
5 analysis. Near the very end the attorneys also asked if I  
6 could add a performance analysis of the districts.

7 **Q.** And did you do that?

8 **A.** Yes.

9 **Q.** Is that what became an issue in the case?

09:27:53

10 **A.** Yes. One of the components of the performance  
11 analysis.

12 **Q.** What was the issue?

09:28:08

13 **A.** During one of the hearings it came up that one of the  
14 rows -- I believe we did 36 elections. One of the rows,  
15 meaning one of the elections in the performance analysis,  
16 contained an error.

17 **Q.** How was that addressed?

09:28:23

18 **A.** I wasn't at the hearing. So one of the attorneys who  
19 was at the hearing came back to me and notified me that  
20 there was a discrepancy with one of the rows and could I  
21 take a look at it. And so I did. There was an error.

22 **Q.** What was that error?

09:28:39

23 **A.** There was an error. One of the rows did not include  
24 an uncontested election. I had tabulated for performance  
25 analysis the results of elections; and I had only

1 tabulated the result of an election where there were two  
2 candidates running, a Democrat and Republican. But  
3 another Senate District had an uncontested Republican  
4 running; and I hadn't incorporated that, because I was  
5 looking at two-party contested elections.

09:28:55

6 And so that was an error on my part. I had failed to  
7 incorporate that uncontested election. And so I wrote an  
8 affidavit saying here is the results with the contested  
9 election.

09:29:07

10 **Q.** And the Court ultimately found you unreliable on that  
11 basis; is that true?

12 **A.** Yes.

13 **Q.** What happened next with regard to your work in that  
14 case?

09:29:15

15 **A.** There was no other work. After that, I had no other  
16 communication with the attorneys. My understanding was I  
17 was just involved for the PI. We lost the PI, and so my  
18 work was done.

09:29:28

19 **Q.** So how did you arrange for the plaintiffs in that case  
20 to hire Dr. Collingwood?

21 **A.** I did not. I had no other communications at all with  
22 the attorneys and was not aware of the case until very  
23 recently.

09:29:42

24 **Q.** Did you have anything to do with preparing his  
25 analysis?

1 **A.** No. I did not even know he was working on the case  
2 until the decision came out and I saw his name in it.

3 **Q.** Earlier this week?

4 **A.** Just a few days ago.

09:29:54

5 **Q.** All right. Now how many times approximately have you  
6 testified in court?

7 **A.** Between state and federal, I would say probably 50 or  
8 more.

09:30:06

9 **Q.** And approximately how many times has the Court found  
10 you credible?

11 **A.** Almost in all of them. Even if they don't side with  
12 the side I'm working on, they usually still credit myself  
13 or other experts as giving credible testimony. So I would  
14 say almost always.

09:30:20

15 **Q.** How many times have you been published on matters  
16 related to the issues in this case?

17 **A.** Voting rights in general or topics of racially  
18 polarized voting or districting, I would say consumes a  
19 majority of my academic publications. I would have to  
20 scroll back up and look at my CV to give you an exact  
21 number but, you know, dozens. Dozens of my publications  
22 are directly related to these topics.

09:30:37

23 MR. DUNN: And for the Court's record, the full  
24 curriculum vitae is Brooks Exhibit 269 in the last pages.

09:30:48

25 BY MR. DUNN:

1 Q. All right. I want to talk about the software R now.  
2 And I'd like to take you to your report at Exhibit 284 and  
3 specifically page 33.

4 What is it that I have shown here on the screen?

09:31:11

5 A. I believe this is our rebuttal. And this would  
6 include the script that myself and Mr. Rios used in this  
7 rebuttal report. This is code or script in the software  
8 package R.

09:31:32

9 Q. Had you produced a code for your earlier analysis in  
10 this, in this case, in this proceeding, the preliminary  
11 injunction?

12 A. I did.

13 Q. Had you produced your code in the earlier two trials  
14 we had in this matter?

09:31:41

15 A. Yes.

16 Q. Stepping back just a minute: What exactly is R?

09:31:59

17 A. R is a software package that many social scientists  
18 use for statistical analysis and data science. You can  
19 use it for anything from running a regression to drawing  
20 maps and a lot of stuff in between.

09:32:17

21 It's very popular. One of the things that makes it  
22 popular is that it is free to download. All of the  
23 packages and functions that come with it are free. And a  
24 lot of professors might write their own pieces of this  
25 software to make it more inclusive and available to them.

1 Q. How long have you been working with R?

2 A. I would say about 15 years. Maybe about halfway  
3 through my time at the University of Washington it started  
4 to become very popular.

09:32:36

5 Q. What did it sort of replace?

6 A. A lot of folks I would say before working in R, at  
7 least in political science and the social sciences, were  
8 often using a program called Stata. It was actually  
9 developed by a professor who used to be at Texas A&M who  
10 was an extremely prominent economist. And that was the  
11 main program that a lot of folks were using.

09:32:53

12 Other folks were using a program called SPSS.

13 But R came along after I was in -- I had finished my  
14 graduate training, I was an assistant professor, and it  
15 started to become very popular and allowed for a lot more  
16 precision and functionality in the coding.

09:33:08

17 Q. Well, I spent a few years with SPSS at U of H when I  
18 was in a Ph.D program for a brief time, but it's what  
19 convinced me I needed to just stick with being a lawyer,  
20 so...

09:33:26

21 A. That makes sense.

22 Q. Let me ask you: How does the R program work?

23 Well, let's step back. You used a package. What was  
24 it called?

09:33:32

25 A. In this case we used two different packages in R. One

1 was called eiCompare, which is an R software package that  
2 analyzes racially polarized voting. Included that in our  
3 August 2025 report.

09:33:56

4 We used a second package called redist, r-e-d-i-s-t,  
5 and used that in all three of the reports I believe that I  
6 submitted.

09:34:12

7 **Q.** We'll get to your opinions in a minute. But both of  
8 those, the eiCompare that you used for racially polarized  
9 voting and redist that you used for your simulations, just  
10 to be clear, both of those are run in this software called  
11 R?

12 **A.** That's correct.

13 **Q.** Now, turning your attention back to page 284 and the  
14 code that you have there, what is this code for?

09:34:24

15 **A.** This code is for the redist simulations that we  
16 completed during our rebuttal. And it tells the package  
17 exactly, you know, what districts to draw, how many  
18 simulations to draw, and then the plots to make it.

09:34:44

19 **Q.** Well, focusing for now on the simulations, you know,  
20 walk us through how the software works. What is it doing?

21 **A.** Well, the first thing it needs to do is to pull in the  
22 dataset that it will draw the districts from. This is  
23 based on the underlying data. And this data is election  
24 result data, usually at the VTD precinct level.

09:35:10

25 Maybe some census race data oftentimes at the block.

1 It could be block group level.

2 And then you need lines. You need those shapefile  
3 lines for one of the maps.

09:35:21

4 And so those three pieces of information are merged  
5 together.

6 **Q.** Let me stop you there.

7 Where did you get those three pieces of information?

09:35:35

8 **A.** Well, for the VTD election results, we got those  
9 directly off the TLC website. They maintain a very nice  
10 database for everyone to access. We retrieved the 2024  
11 presidential election results at the VTD level there.

12 The shapefiles, which are the boundaries of different  
13 maps, also are posted publicly on the TLC website and  
14 available to download.

09:35:52

15 Then the third source of information is from the U.S.  
16 Census Bureau. Those are race and ethnicity estimates for  
17 census geographies.

18 **Q.** Are all three of those publicly-available?

19 **A.** Yes.

09:36:04

20 **Q.** Nevertheless, did you produce them with your reports  
21 in this case?

22 **A.** Yes. We have produced copies of all the VTD analyses,  
23 shapefiles, census data, et cetera, really going back all  
24 the way I think to 2022 when we started this and tried to

09:36:21

25 provide direct information on exactly which datasets we

1 were using and where they were available.

2 JUDGE SMITH: This is census, not ACS? I just  
3 want to be sure that I understand.

09:36:35

4 THE WITNESS: In this case, Your Honor, I believe  
5 the citizen voting age population data does come from the  
6 ACS. I'm referring to the census overall. Not the  
7 decennial census, but as a bureau. And so if you are  
8 using citizen voting age population, that does come from  
9 the ACS five year.

09:36:51

10 JUDGE SMITH: Okay. Sorry for the interruption.

11 THE WITNESS: No. Thank you for that  
12 clarification.

13 BY MR. DUNN:

09:36:58

14 Q. Now, in the earlier trial we had in May and June of  
15 this year in this case, Dr. Trende also used R and the  
16 redist package. Is that your recollection?

17 A. I believe so. Both in that, as well as in his  
18 previous analysis.

19 Q. Did he also use those datasets you just mentioned?

09:37:11

20 A. Yes. Looking at his code, he did this exact same  
21 process. It's the first step that every expert will take  
22 in pulling together election data, census data, and  
23 shapefiles. And his code is quite similar to mine and  
24 Mr. Rios in how we build those files.

09:37:30

25 Q. Now, when you have these datasets available, you are

1 in R, then what is the next step?

2 **A.** Then you need to start setting your geographies of  
3 interest where you are going to analyze. In this case, we  
4 have analyzed statewide simulations where we have looked  
09:37:45 5 at all 38 congressional districts across the entire state  
6 of Texas. And then we have also honed in on just counties  
7 or just specific congressional districts in different  
8 regions.

9 Here we focused on the Harris County region, the  
09:38:02 10 Dallas-Fort Worth region, and then the Austin to San  
11 Antonio region that we were just hearing testimony about.

12 **Q.** And when you focus in on a particular geography, are  
13 you working from the statewide boundaries or the statewide  
14 shapefile?

09:38:19 15 **A.** Yes. You are still working from the same file, you're  
16 just using a process called filtering where you are  
17 filtering your analyses down to just one region or sets of  
18 counties or sets of districts. You can tell it exactly  
19 where to filter its analysis.

09:38:33 20 **Q.** Are you familiar with the term "interim shapefile"?

21 **A.** Not directly, no.

22 **Q.** Well, you recognize there is a criticism Dr. Trende  
23 had in this case that you had not produced some type of  
24 interim-type shapefile.

09:38:51 25 Do you recall that generally?

1 **A.** Yeah. I was believe it was related to the interim  
2 simulation results.

3 **Q.** I see.

09:39:00

4 So once the shapefile is then focused on a particular  
5 area through this filtering, then what happens?

09:39:14

6 **A.** Well, then you set up the parameters for how many  
7 simulations you want. There is something called a  
8 warm-up, which is it starts to run simulations before it  
9 starts keeping track of them. You can tell it how many  
10 chains to use, those are the independent ensembles that  
11 comprise the simulations. So you sort of set up all of  
12 the rules that your simulations or ensembles are about to  
13 go pursue.

09:39:32

14 And then you tell it to run. And then it runs, you  
15 know, 20,000 or 200,000 or 2 million total districts  
16 across these simulations. And that's where you have  
17 something that is the simulation results. This is an  
18 object in R, which is a very, very large data file.

09:39:50

19 **Q.** Well, in your case -- and we'll get to the opinions  
20 later, but in this case, about how many simulations did  
21 you run?

09:40:07

22 **A.** Across the three reports, we used the redist software  
23 in all three of the reports here, August, the  
24 September 5th supplement, and then the September 29th  
25 rebuttal. There were probably 12 different geographies or

1 maybe 18 different runs that we did. The most simulations  
2 we did in any one run I think was 2.7 million. Others  
3 have 1.9 million. Sometimes they have 300,000.

09:40:30 4 So adding those all together, you know, you are  
5 talking millions and millions. More than 4 million,  
6 5 million total district simulations.

7 **Q.** So once you get to that step in the process, I mean,  
8 give us a sense of like how much memory is used up.

09:40:46 9 **A.** Well, for any individual run, when you are setting it,  
10 these are extremely memory intensive. In fact, these are  
11 processes that wouldn't have been possible 10 or 20 years  
12 ago for sure. The authors of the package are updating it  
13 to match what computing is available.

09:41:07 14 And even today, with a state as large as Texas, it is  
15 quite difficult to run statewide simulations under the  
16 constraints that we were trying to match exactly what the  
17 state did. Just running the statewide constraints is  
18 extremely difficult for the software to be able to get its  
19 hands around. So you are talking huge, huge data files of  
09:41:23 20 simulation results that the R software is dealing with.

21 **Q.** Where is that while the system is waiting for its next  
22 command?

09:41:41 23 **A.** It's essentially in the environment, which is what we  
24 call it, in the R environment. And so it's a temp file  
25 that's created in the environment that you could then cull

1 and access and essentially ask questions of, make tables  
2 out of. But it is a very, very large file.

3 **Q.** And you understand the criticism was why didn't you  
4 produce the interim shapefiles; is that right?

09:41:56

5 **A.** That was the criticism, yes.

6 **Q.** What is your opinion?

7 **A.** Well, the first answer is that it's not necessary. In  
8 keeping with the actual standards that we use in  
9 publishing, that interim file is one that's created by the

09:42:09

10 code. And it could be easily recreated as long as you  
11 have the code and you know the data you are working with.

12 It's not something that journal editors, if you were  
13 publishing this, would ask of you as a scholar to deposit  
14 these huge interim objects or simulation results. And so  
15 it's simply not necessary.

09:42:30

16 If you take these extra steps to save those, your  
17 entire process will slow down dramatically. You have now  
18 devoted almost all of the computer's memory just to  
19 holding that object.

09:42:44

20 And because you can just run it again by running the  
21 code that you see here on the screen, it's -- it's not  
22 something that's necessary or that the practice in  
23 publishing is not to keep that and report that.

24 **Q.** Well, one of the -- well, let me ask it this way:

09:43:04

25 The -- what are you -- what is the end product you are

1 trying to get to when you are running the redist?

2 **A.** The end product is to try to get these tables, which I  
3 put in and histograms and plots, to understand what is  
4 possible and what are the probabilities of different  
5 outcomes.

09:43:22

6 And so, again, if you look at published journals,  
7 typically you'll see a lot of images and histograms and  
8 tables that show you some of the results. That's what  
9 we're drawing our analyses on, are those histograms and  
10 plots and tables. And so that's what the end result is,  
11 is to ask a question.

09:43:43

12 I'll just give you one example. In the case of our  
13 analysis in the DFW region, whether or not drawing a  
14 majority Black district was a likely outcome. And so I  
15 wanted to know the answer to that. I told the computer to  
16 run hundreds of thousands of simulations of different  
17 types of maps in the DFW region. And I asked it how often  
18 do you result in a 50.0 or higher Black majority CVAP  
19 district.

09:44:01

20 And based on those simulation results it can draw  
21 tables, charts, plots, to show you what is the answer,  
22 what is just the summary of those simulation results.

09:44:18

23 **Q.** And is this a similar type analysis to what Dr. Trende  
24 did back in May and June?

25 **A.** Yes. Almost the same.

09:44:36

1 Q. These interim simulations, did he help with those in  
2 his analysis?

09:44:46

3 A. I don't believe in his first report he did. I don't  
4 recall off the top of my head. As I said, it's not  
5 something that would be required. We would just need to  
6 see his code, and then we could easily re-create the  
7 analysis.

09:44:59

8 Q. Now the matter of seeing his code, the other criticism  
9 that was leveled was about this thing called a set.seed.

10 Do you recall that?

11 A. Yes.

12 Q. What is that?

09:45:10

13 A. That is where you come up with a random number, and  
14 then essentially you tell the computer always follow this  
15 path. Never deviate off this path. You can only go on  
16 this path.

09:45:25

17 So it could be used, and I mention this in my  
18 rebuttal, if I was teaching first-year students,  
19 first-year Ph.D students and I wanted to make sure that  
20 all of us, while we were running some analysis,  
21 had -- were on the exact same path and I could ask them,  
22 now look and see what this says.

09:45:38

23 But it takes the randomness out. And so you could  
24 imagine if there was hiking trails or running trails  
25 somewhere in the mountains here, you might say there is

1 any number of endless ways to get up to the top of this  
2 mountain or this park, but when you set a seed you're  
3 saying you may only go on this path and never deviate from  
4 it. And when you come back the next time, you won't take  
5 a random draw. You'll just only go on that same path.

09:45:56

6 So it's something that in my practice I believe takes  
7 away from the randomness of the science, and so I don't  
8 believe it's necessary. And it is not something that,  
9 again, in publication is required.

09:46:13

10 **Q.** Now, have you taught R before in your teaching  
11 experience?

12 **A.** Yes. We use R in most of my classes, especially in  
13 those for graduate students, especially for related to  
14 anything about voting rights. And both of these packages,  
15 eiCompare and redist, would be popular to use.

09:46:31

16 **Q.** When you say you don't think the set.seed is  
17 appropriate, why do you say that? Appropriate to use in  
18 this kind of situation.

19 **A.** It takes the randomness out of the data science.

09:46:49

20 **Q.** Why do you want the randomness?

21 **A.** The entire point of simulations of any draws is not to  
22 say there is only one path; but to say if I tell the  
23 computer to randomly draw me districts, and I could tell  
24 it to do hundreds of thousands or millions, what do those  
25 come back and look like?

09:47:09

1 And then another person could come along and say now  
2 let me tell it to draw, randomly draw a hundred thousand  
3 or a million districts.

09:47:21

4 We want that randomness. We don't want to always be  
5 on the exact same path. And you think about it in the  
6 same way as polling during elections. We don't want just  
7 a thousand people that we always call those same thousand  
8 people. Every pollster, whether it's a campaign pollster  
9 or the New York Times pollsters, surveyors, they are going  
10 to randomly call. What makes the data so much better is  
11 that every pollster or every redist simulation is doing a  
12 random draw. And then what redist is doing is averaging  
13 those.

09:47:38

14 When you set the seed, it might be useful, as I said,  
15 if you are teaching a first year Ph.D student class and  
16 you want to make sure everyone's computer has the same  
17 data on it.

09:47:53

18 But for the actual data science you want a random draw  
19 every time. It's the entire point is that you want -- you  
20 want the computer to randomly come up with results.

09:48:05

21 **Q.** And so each time it's run randomly, assuming it's  
22 being done correctly, would you expect these histograms at  
23 the end to look roughly the same across different experts  
24 running the same package randomly with the same data?

09:48:22

25 **A.** Yes. Yes. The idea is they should look very similar,

1 but they are not going to be identical in the same way  
2 that two surveys of a thousand people. They should be  
3 similar, but they shouldn't be exactly identical because  
4 there is randomness involved.

09:48:35

5 And the idea is that averaging all that randomness is  
6 always going to get you a distribution within that  
7 histogram.

09:48:47

8 **Q.** Now, despite the controversy of your alleged  
9 non-production of items, was Dr. Trende able to replicate  
10 your analysis?

11 **A.** Yes. In reading his report that he submitted in this  
12 case, he uses that phrase on one of his pages, I then  
13 replicated the Barreto/Rios analysis; and in my review of  
14 his code and analysis, he was able to do it quite easily.

09:49:05

15 **Q.** And were his histograms roughly similar to yours?

16 **A.** Well, he produced a different set and type of  
17 histograms, but they are based on an extremely similar set  
18 of results through his random draw, yes.

09:49:22

19 **Q.** Now also one of the criticisms that was focused on you  
20 on this analysis is that you -- and we'll get to the  
21 specific opinions later -- but is that you -- there  
22 weren't enough iterations, too many plans, you know, were  
23 similar and so it was less probative.

24 Do you recall that generally?

09:49:35

25 **A.** Yes. He was referring to what is called the map

1 diversity.

2 **Q.** Having another expert run it without a set.seed and  
3 getting also another random set of examples also addresses  
4 whatever lack of diversity there was in the output that  
5 you had; is that true?

09:49:49

6 **A.** That's true.

7 **Q.** Now, I want to take you to Brooks Exhibit 284 at 31,  
8 pages 31 and 32.

9 Now, when this controversy arose about what you had  
10 produced in this case, what did you do here with respect  
11 to this email that's on the page?

09:50:08

12 **A.** I reached out to two scholars who I know to be experts  
13 with this exact software package redist and asked them  
14 what their practices were and if they had time to review  
15 the code that I had submitted and report that I had  
16 submitted and asked them what their opinions were.

09:50:30

17 **Q.** And what was -- the first email was with a Cory  
18 McCartan, if I'm saying that correctly.

19 Who is that person?

09:50:44

20 **A.** That is Dr. Cory McCartan. He is a professor at Penn  
21 State University and is one of the co-authors of the  
22 current version of redist.

23 **Q.** Why did you reach out to him particularly?

24 **A.** I had come across Dr. McCartan on a previous voting  
25 rights case. He was an expert witness running redist

09:51:03

1 simulations. And I had gotten to know him and talked to  
2 him. Someone who I felt to be highly qualified and  
3 capable. In fact, he was a co-author. He wrote this  
4 software, the most recent version.

09:51:16

5 So I reached out to him and asked him what was his  
6 general experience in publishing and in -- as well as in  
7 his expert work.

8 **Q.** What was his response?

09:51:28

9 **A.** Well, he said that he reviewed what I sent him and  
10 that it looked quite consistent with what he had done.  
11 And that he agreed that there is no need for those interim  
12 objects or simulation results. That as long as someone  
13 had the code, they were totally fine to be able to  
14 replicate.

09:51:45

15 He, in fact, says in here that the point is to have  
16 random simulations each time, that the randomness of each  
17 iterative draw is the entire point of the software he  
18 wrote.

09:51:58

19 And that his experience in previous cases, as well as  
20 publication, was that you did not need those interim  
21 objects, which were very large in size.

22 **Q.** And then on page 32, you know, quickly, who is this?

23 **A.** This is an email from Dr. Kosuke Imai.

24 **Q.** Who is that?

09:52:16

25 **A.** He is a professor at Harvard University who is the

1 lead author of the redist package. He was also  
2 Dr. McCartan's dissertation advisor. And they have  
3 co-authored papers together about redist.

09:52:29

4 **Q.** And what was his conclusion with regard to the code  
5 you provided?

09:52:43

6 **A.** He gave the same answer. That in his experience, and  
7 especially in publishing journals on this topic,  
8 publishing articles in social science on this, that the  
9 simulation results were not necessary. That people are  
10 able to replicate based on code. And that's the general  
11 practice today in social science.

09:52:59

12 **Q.** So when you heard and it was communicated to you that  
13 there were these concerns raised about the -- from  
14 Dr. Trende about this -- the interim simulations and the  
15 set.seed, what was your reaction? Did you expect this or  
16 was it a surprise?

17 **A.** It was a complete surprise. It's not necessary at  
18 all. I know Dr. Trende. I know that he knows how to use  
19 redist.

09:53:12

20 **Q.** And in fact he did?

21 **A.** Yes.

22 **Q.** Both before and after; isn't that true?

09:53:24

23 **A.** He used it in this case back in 2022. As well as in  
24 the 2025 trial we had previously in this room, he used it  
25 again. I have seen him use it in other cases in

1 Washington, Florida, Kansas, other places. He knows the  
2 package quite well. He knows how to use it.

3 And I was surprised that he would have not been  
4 able -- knowing that he already created a statewide file  
09:53:42 5 that I described, I was surprised that he would not be  
6 able to run it.

7 **Q.** All right. Let's transition and talk about what you  
8 did with respect to your reports in this preliminary  
9 injunction hearing.

09:53:55 10 When is it that you first sort of started to work on  
11 your analysis of your first report in this case?

12 **A.** It would have been late August. I know there was a  
13 lot of uncertainty over whether Texas was going to pass a  
14 map or not. Sometime in late August Mr. Gaber reached out  
09:54:16 15 to me and said that it looked like a map is going to pass  
16 and that he wanted to talk to me about another expert  
17 report.

18 **Q.** All right. Did you understand that there would be a  
19 very short window of time for you to produce this report?

09:54:31 20 **A.** Yes. There was a lot of uncertainty that it could  
21 have been from something like two days to six days. And  
22 we were waiting to see what would happen with the  
23 legislature.

24 **Q.** The record reflects that the bill passed the Senate on  
09:54:45 25 a Saturday morning and was ultimately sent to the

1 governor. The record also reflects that within a day or  
2 two several of the plaintiffs, including the Brooks  
3 plaintiffs, had filed a preliminary injunction motion.  
4 With it, the Brooks plaintiffs included your report.

09:55:03

5 Do you recall those events that way?

6 **A.** Yes.

7 **Q.** So I'm not asking you to be precise, but just roughly,  
8 did you have a week? Five days? Two weeks? How long did  
9 you have to work up your assignment?

09:55:12

10 **A.** Maybe about a week.

11 **Q.** And what is it you were asked to do?

12 **A.** In the first report, the August 2025 report, Mr. Rios  
13 and I were asked to take up two primary issues. The first  
14 was to assess racially polarized voting in the new  
15 district boundaries -- that's what I'm calling the C2333  
16 plan -- and whether or not White, Hispanic, and Black  
17 voters continued to exhibit racially polarized voting.  
18 And so we looked at that.

09:55:31

19 The second was to assess the new map as compared to  
20 the 2021 map, which is the C2193, and to look specifically  
21 at boundaries and whether or not we could draw any  
22 conclusions as to whether racial boundaries appeared to be  
23 the focus of the map drawers.

09:55:56

24 Related to that, we also ran the redist simulations  
25 that we just spent some time talking about.

09:56:22

1 Q. We'll get to your opinions in a moment. But that was  
2 filed with the Court. The Court held a hearing. This  
3 proceeding was scheduled.

4 Were you then asked to do another report?

09:56:32 5 A. Yes. That's the September 5th supplement.

6 Q. What did you do in that report?

7 A. There, as I indicated in my first August report,  
8 because that was a very limited time frame, there was  
9 additional analyses that I wanted to continue doing, in  
10 particular with the redist simulations, to continue honing  
11 in on the different parts of the state.

12 Q. Why didn't you just do them with your first report?

13 A. Frankly, there wasn't enough time. The -- we weren't  
14 even certain if we were going to be able to run the  
15 statewide, entire state 38 districts in enough time for  
16 the first report, because it is so computing power  
17 intensive, with the constraints that we had to match.

18 Q. Like, roughly, how many hours did it take?

19 A. Just to run one statewide simulation -- first of all,  
20 many times it crashed and said it couldn't converge and  
21 report results. But many times these might run for  
22 48 hours to try to draw 38 districts with the constraints  
23 and the types of simulations that we were looking for.

24 And so these are very time intensive efforts. And so  
09:57:45 25 we were able to get to those by having a few more days on

1 the September 5 supplement.

2 **Q.** And I mean not to be overly simplistic, but at some  
3 point you hit enter on the code and it starts running and  
4 you walk away from it for 48 hours?

09:57:58

5 **A.** More or less. You need to make sure that it doesn't  
6 crash in the interim, because depending on how the  
7 ensembles or the chains are reacting, they may not be able  
8 to continue under the constraints imposed.

09:58:12

9 Again, we're trying to match the exact constraints  
10 that Texas was using so that our process is exactly  
11 similar to theirs.

12 But, yes, it's running and it's doing its thing during  
13 this time.

09:58:24

14 **Q.** All right. And we'll get -- we'll unpack your second  
15 report. But then finally, you have a third report; is  
16 that right?

17 **A.** Yes.

18 **Q.** What is it?

09:58:34

19 **A.** That is the rebuttal in response to the report  
20 submitted by Dr. Trende and Dr. Lewis.

21 **Q.** And then you mentioned several times a Michael Rios.  
22 Who is that?

09:58:51

23 **A.** Michael Rios is the senior data scientist at the UCLA  
24 Voting Rights Project and is my co-author on this report,  
25 these three reports, and has been my co-author on all

1 matters on the *LULAC v. Abbott*.

2 **Q.** Why do you involve him?

3 **A.** He is an expert in the software R, both eiCompare and  
4 redist, as well as in the datasets, merging datasets,  
09:59:12 5 overlaying files, has extensive training, has testified  
6 himself as an expert witness in cases, and is someone I  
7 have worked very closely with for about five or six years.

8 **Q.** Is it customary for a political scientist in your  
9 position performing this kind of analysis to involve  
09:59:28 10 qualified assistance?

11 **A.** Yes.

12 **Q.** Did Dr. Alford do that earlier in this case, have an  
13 assistant that worked with him?

14 **A.** Yes. He has for almost all his career.

09:59:37 15 **Q.** Have you found Mr. Rios's analysis to be reliable?

16 **A.** Yes.

17 **Q.** And have you confirmed and checked it and -- with your  
18 own expertise to have confidence in the work that you have  
19 produced here?

09:59:51 20 **A.** Yes.

21 **Q.** And in terms of the opinions that you produce in these  
22 three reports and that you would offer today, are you  
23 basing them on the social science and what the standard  
24 practice would be among political scientists in the field?

10:00:03 25 **A.** That's correct.

1 Q. Do you have experience with mapping, redistricting?

2 A. Yes. Extensively.

3 Q. How so?

10:00:14

4 A. It's not only something that is involved in all of the  
5 teaching I have been doing on the voting rights analysis  
6 for almost all 20 years that I have been a professor, but  
7 I have also been a testifying expert specifically on  
8 mapping and redistricting in front of three-judge panels  
9 in other states.

10:00:30

10 Q. Is that including analyzing plans?

11 A. Yes.

12 Q. Have you been hired by governments to assist with  
13 mapping and map analyzation?

10:00:42

14 A. Yes. In both the 2011 and the 2021 redistricting  
15 cycles, I was hired by either states, counties, cities, to  
16 advise them and assist them with the redistricting process  
17 in mapping.

10:01:00

18 MR. DUNN: All right. Dr. Barreto has been  
19 previously recognized or accepted in this -- by the Court  
20 in this case as an expert in political science, voting  
21 analysis, demographic analysis, and the application of the  
22 *Gingles* factors. We added today as an expert also on  
23 mapping analysis and tender him for that purpose.

10:01:15

24 MR. KERCHER: Maybe I should change things up and  
25 object this time. But no objection.

1 JUDGE GUADERRAMA: The Court receives him as  
2 such.

3 MR. DUNN: At this point in time I'd move to  
4 admit Brooks Exhibits 269, 283, and 284.

10:01:21 5 (Brooks Exhibit Numbers 269, 284, and 284 offered  
6 into evidence.)

7 MR. KERCHER: Those are Dr. Barreto's August  
8 report, his September supplement, and his rebuttal; is  
9 that right?

10:01:35 10 MR. DUNN: Yes, sir.

11 MR. KERCHER: No objection.

12 JUDGE GUADERRAMA: Brooks Exhibits 269, 283, and  
13 284 are admitted.

14 (Brooks Exhibit Numbers 269, 284, and 284  
10:01:44 15 admitted into evidence.)

16 BY MR. DUNN:

17 **Q.** I want to take your attention to your report that's  
18 been admitted, Brooks Exhibit 269. And I want to get down  
19 to what you did here on racially polarized voting.

10:01:59 20 So kind of set the table on what analysis you were  
21 doing there.

22 **A.** Well, the first is that Mr. Rios and I see this as  
23 really a continuation or follow-up to the extensive volume  
24 of analysis we have done on racially polarized voting

10:02:14 25 going back to 2022 in this matter. And here we were asked

1 to update that for some recent elections using the new  
2 C2333 map boundaries.

3 **Q.** And how did you select these elections?

4 **A.** These are -- we wanted to get the most recent

10:02:36

5 elections, since this is a recent matter. I felt that I  
6 had already analyzed and provided to the Court data going  
7 all the way back to 2014, I believe in some of the reports  
8 that have already been submitted. So here we just chose

10:02:55

9 to focus on some elections from 2022 and 2024 to sort of  
10 look at what the most recent trend is in voting patterns  
11 in Texas.

12 **Q.** And was it you who determined which of these elections  
13 to analyze?

14 **A.** Yes.

10:03:02

15 **Q.** Did you include the 2024 Harris/Trump presidential  
16 election?

17 **A.** I did.

18 **Q.** And how is it that you under -- we have heard some  
19 testimony from two experts, I should tell you, Professor,  
20 on RPV, so we don't need to reinvent that wheel. But what  
21 were the methods of RPV analysis that you went through?

10:03:13

22 **A.** On this we used the software program eiCompare, which  
23 is a software program in R that allows analysis of two  
24 types of ecological inference. The first is called EI

10:03:38

25 iterative. Sometimes it's called King's EI. And the

1 second is called EI RxC and it's abbreviated as capital R  
2 and x and then a capital C.

3 **Q.** Who originated eiCompare?

4 **A.** eiCompare is a software package that myself and

10:03:59

5 Dr. Collingwood, along with Dr. Oskooii and

6 Dr. Garcia-Rios published in two academic journals and

7 have made publicly-available.

8 **Q.** Is it now widely used by other experts, including some  
9 you have never met or known?

10:04:12

10 **A.** Definitely. We get inquiries a lot of times from  
11 other folks, both in academia but also at research centers  
12 or civil rights organizations, saying that they have  
13 downloaded the software and they have a few questions.

14 **Q.** All right. You report in your report both the  
15 CVAP-based RPV analysis and a BISG-based RPV analysis; is  
16 that true?

10:04:28

17 **A.** That's correct.

18 **Q.** What is the CVAP-based RPV analysis?

19 **A.** The CVAP is based on the citizen voting age

10:04:42

20 population, which we get from the census ACS, and  
21 incorporate the race and ethnicity of the citizen eligible  
22 voters in every VTD to produce estimates of voting. And  
23 that is separate from the BISG in which we use the actual  
24 voter file.

10:04:58

25 **Q.** And we got an explanation of BISG yesterday, but just

1 your quick explanation about that.

2 **A.** Well, BISG goes to the actual voter files. So the  
3 citizen voting age population data is often quite useful,  
4 but many of those people aren't registered and they may  
10:05:12 5 not vote. And so for precision I would say most experts  
6 agree that you should get your data off the voter file and  
7 try to impute the race and ethnicity directly of people  
8 off the voter file, because we know they voted. We're  
9 trying to understand voting behavior. Let's use votes.

10:05:28 10 And so that's what BISG does.

11 **Q.** All right. Have you been published on BISG?

12 **A.** Yes.

13 **Q.** Were -- in fact, would you say you are one of the most  
14 published persons in academic journals on BISG?

10:05:41 15 **A.** I have published a number of articles on BISG. It's  
16 now starting to become a quite popular topic in political  
17 science; and within the last three to four years, more  
18 people are jumping in and using it for different  
19 applications and tweaking the methodology.

10:05:56 20 **Q.** Have you published papers on validating BISG?

21 **A.** Yes.

22 **Q.** What does that mean to validate it and how did you do  
23 so?

24 **A.** So to validate BISG, because we are estimating

10:06:05 25 someone's race and ethnicity on the voter file, to

1 validate it, you go to a state that has self-reported race  
2 and ethnicity; and then you compare your estimates for  
3 VTDs. Very importantly, we're not trying to predict any  
4 single individual. There might be 20 million people on  
10:06:25 5 the voter file. We're trying to predict for that  
6 precinct, for that VTD, that -- what is called an  
7 ecological unit.

8 And so we get the self-reported real data from a state  
9 like Florida or North Carolina or Georgia, where you have  
10:06:40 10 to put your race on the voter file. And then we compare  
11 how our estimates work to the real actual answer that  
12 voters did. And it comes back as extremely accurate.

13 **Q.** And did you do that validation with those states you  
14 mentioned?

10:06:52 15 **A.** Yes. As well as we have ongoing validation right now  
16 for the state of California, which has started to roll out  
17 self-reported race on the voter file, so we can validate  
18 it in California as well now.

19 **Q.** Have you been published on the validations?

10:07:06 20 **A.** Yes.

21 **Q.** Who was one of your co-authors?

22 **A.** Dr. Loren Collingwood.

23 **Q.** Now I want to call your attention to page 12 of your  
24 report, which should be on your screen. And this -- would  
10:07:20 25 you call this sort of your takeaway conclusion about

1 racially polarized voting, the top part?

2 **A.** Yes.

3 **Q.** What is that?

4 **A.** Paragraph 12 summarizes what we found here in this

10:07:32

5 August 25 report, which suggests that across all the  
6 models we ran, here we ran about 600 models, when you take  
7 the CVAP models, the BISG models, the two different types  
8 of EI that we did, iterative and RxC, the finding is  
9 extremely consistent, and consistent with all the previous

10:07:52

10 reports I've produced in this, that there continues to be  
11 very prominent racially polarized voting here across the  
12 different congressional districts in Texas.

13 **Q.** All right. I'm going to jump to page 44 of your  
14 report, which is Brooks Exhibit 269, and ask you to just  
15 talk about a few of these --

10:08:13

16 Well, first, what are we seeing here? We see a bunch  
17 of these similar looking tables over and over again. What  
18 are they generally?

19 **A.** These are the summary results of how different racial  
20 and ethnic groups voted, and you can see that there  
21 are -- these ones we're looking at are for the CVAP input,  
22 citizen voting age population from the ACS.

10:08:25

23 And there is two sets of results for each racial  
24 group. There is EI on the left part of the screen, and  
25 then there is RxC. And those are just the two different

10:08:41

1 regression models that you can use in eiCompare.

2 **Q.** You have done this for the newly enacted Plan C2333?

3 **A.** Yes. Across a variety of congressional districts. Up  
4 on the screen I see Congressional Districts 2 and 5, and I  
10:09:00 5 believe we went all the way down to the districts in the  
6 30s.

7 **Q.** And you have previously done that with the enacted  
8 Plan C2193; is that true?

9 **A.** Yes.

10:09:09 10 **Q.** All right. Just to -- as an example, I'm going to go  
11 to page 51 and ask you to look at Congressional District 9  
12 and tell us what is shown here.

13 **A.** This is the BISG input, which is the actual race of  
14 the voters on the voter file. And here it is showing how,  
10:09:29 15 in Congressional District 9, Anglos or Whites,  
16 Hispanic/Latino, and then Black are voting.

17 And so just to start on the first set of elections, we  
18 have the Trump/Harris election in 2024. The first sets of  
19 results suggest that in this part, I believe this is in  
10:09:46 20 the Harris County region, White voters gave 93 percent of  
21 their vote to Mr. Trump. Hispanic voters gave 59.7  
22 percent of their vote to Ms. Harris. And Black voters 93  
23 percent of their vote to Ms. Harris.

24 So it shows on that particular election racially  
10:10:06 25 polarized voting. And then we have three or four other

1 additional elections beyond that.

2 **Q.** Do you notice, for this table and the other analysis  
3 that you have done, a difference between the Trump/Harris  
4 election on racially polarized voting and other down  
5 ballot elections?  
10:10:21

6 **A.** Yes. Definitely. In this case you can see, just by  
7 going two rows down, you have the Cruz/Allred election in  
8 the same year, on the same ballot, so the same sets of  
9 voters. And you can see in particular for Hispanic and  
10 Latino voters, Mr. Allred does an additional 8 points  
11 better.  
10:10:35

12 Or really what the finding in the literature is, is  
13 that Mr. Trump outperforms Mr. Cruz by about 8 points.  
14 And we see about a consistent 6 to 8 point increase from  
15 Mr. Trump among Hispanics that's not applicable to other  
16 Republican candidates in '24.  
10:10:50

17 And then backing out to '22, the election just two  
18 years previously, we see Republicans doing even worse and  
19 Democrats doing even better with Hispanic voters. For  
20 example, the Attorney General's race, 74 percent of  
21 Hispanic vote for Garza, compared to 25 percent for  
22 Paxton.  
10:11:09

23 And so one of the takeaways that I have of this  
24 election, and I believe I testified about this a bit back  
25 in May, was that there was an increase or a shift in  
10:11:20

1 support for Mr. Trump among Hispanics. It was not  
2 consistent with other Republicans. It was just really  
3 about President Trump. And at the same time, we still  
4 document, and I don't think any other expert has disagreed  
5 with this, that a majority of Latinos here in Texas still  
6 continue to vote for Ms. Harris in 2024.

10:11:37

7 **Q.** The Court has heard evidence of the governor recorded  
8 on a television conversation with the media alleging that  
9 Hispanics in Texas have shifted Republican and that they  
10 were drawing four new Hispanic majority districts that  
11 would elect Republicans as a result.

10:11:53

12 My question to you is: What does the RPV data say as  
13 to whether that is an accurate assertion, that Hispanics  
14 in Texas have become majority in support for Republicans?

10:12:07

15 **A.** That is false. I believe this District 9 is one of  
16 the districts in particular that the governor had talked  
17 about drawing majority Hispanic. You can see that while  
18 there was a shift, it's still a clear majority. And about  
19 a 60 to 40 spread of Hispanic voters in this part of Texas  
20 voted Democrat. And even on the same ballot, on the  
21 Cruz/Allred election, it was 2:1. It was 67 to 32. And  
22 if we go back to '24, it was close to 3:1, 75/25.

10:12:24

23 So, yes, there is no doubt that Mr. Trump did better  
24 than other Republicans. But the average is still, in this  
25 part of Texas and across all of these, you can look at any

10:12:43

1 of these maps, these charts, a majority of Latinos are  
2 voting Democrat and especially for other Republicans  
3 beyond Mr. Trump, who presumably will not be on the ballot  
4 again.

10:12:57 5 **Q.** In the earlier two trials of this case, the expert for  
6 the other side was Dr. Alford; is that right?

7 **A.** Yes.

8 **Q.** Even though you may disagree on the results and the  
9 import of the results, was there any significant  
10:13:11 10 disagreement between you two on the actual data outputs of  
11 the RPV analysis?

12 **A.** No. Not at all. My recollection was that Dr. Alford,  
13 in recent reports, didn't even run his own analysis. He  
14 just agreed with -- whether it was mine or  
10:13:28 15 Dr. Ansolabehere, he just agreed with others and he had a  
16 different interpretation of them.

17 So there wasn't a disagreement that 59 percent of  
18 Hispanics voted for Ms. Harris in CD 9. Dr. Alford had  
19 just a different interpretation of why that might have  
10:13:44 20 been. The data are the data. There is really no  
21 disagreeing with the data.

22 **Q.** Is Dr. Alford an expert for the State in this  
23 proceeding we're at today?

24 **A.** I don't believe I saw a report from him today, no.

10:13:54 25 **Q.** Who is the State's expert on racially polarized

1 voting?

2 **A.** Dr. Jeffrey Lewis.

3 **Q.** And does -- have you looked at Professor Lewis's  
4 report in this case?

10:14:02

5 **A.** Yes.

6 **Q.** And who is he, if you know?

7 **A.** I do know. I know him quite well. He is also a  
8 professor of political science at UCLA in my same  
9 department.

10:14:14

10 **Q.** You are colleagues, I assume?

11 **A.** Yes.

12 **Q.** And friends?

13 **A.** Yes.

14 **Q.** And you can disagree on things and debate about the  
15 science. Is that fair to say?

10:14:19

16 **A.** Definitely. I have had dinner at his house. He has  
17 had dinner at my house. I have known him even before I  
18 was at UCLA. I have known him for probably 15 years.

19 **Q.** Does his report mention you at all?

10:14:31

20 **A.** It doesn't. It doesn't mention me by name at all.  
21 But it certainly is about racially polarized voting.

22 **Q.** So first, in terms of just the outputs, does Dr. Lewis  
23 perform his own EI?

24 **A.** He performs something I would say similar to EI; but  
25 he doesn't perform ecological inference directly in the

10:14:48

1 way that Dr. Gary King has devised it, no.

2 **Q.** What does he say, if anything, about whether or not  
3 one of the plaintiffs' experts has produced reliable EI  
4 estimates?

10:15:04

5 **A.** My recollection of his report is that he decides to  
6 use and input into his models the results of Dr. Stephen  
7 Ansolabehere. He says I take those RPV results and plug  
8 them into my models. And then he also goes on to say that  
9 he believes Dr. Loren Collingwood is correct in deciding  
10 to rely on the voter file.

10:15:28

11 Dr. Lewis states that -- which I think almost any  
12 political scientist would agree with -- we would rather  
13 have data for race directly off the voter file than CVAP.  
14 CVAP is eligible voters. When we are estimating behavior  
15 we want to know the actual voters.

10:15:43

16 And so he says something like he agrees with  
17 Dr. Collingwood that this is the most accurate type of  
18 data to use.

19 **Q.** How would you describe Dr. Collingwood's results to  
20 your own?

10:15:54

21 **A.** His BISG results for racially polarized voting are  
22 within 1 or 2 percent of mine. As I said earlier, the  
23 data are the data. They are going to almost always return  
24 the same results with a good model.

10:16:08

25 **Q.** Well, why would they be different at all, even at the

1 tiniest detail?

2 **A.** In the case of eiCompare and eiPack, the other  
3 software, they do similar to what we talked about before.  
4 They are running thousands and thousands of simulations  
10:16:25 5 and they are averaging those simulations. And so you  
6 would never get the same exact identical result. You  
7 would always get something within 1 or 2 percent if you  
8 are using the same data inputs.

9 **Q.** And so in terms of the experts who have performed this  
10:16:41 10 analysis, is it -- are the results consistent?

11 **A.** Yes. In this case, including going back to the trial  
12 we had in May and June, my read of those is that all of  
13 the political science experts are documenting tables such  
14 as these showing very big disparities between how Anglos,  
10:16:59 15 Hispanics, and Blacks in Texas vote.

16 **Q.** Now, whether we're talking about RPV or we're talking  
17 about these redistricting simulations, let's say you and I  
18 got in a room and we decided to commit a fraud on the  
19 court; and we wanted to just manufacture results that  
10:17:13 20 weren't true.

21 What would happen, in your opinion?

22 **A.** Well, an opposing expert would find it immediately  
23 because we're all operating off the same datasets. That's  
24 why I said the results -- in fact, probably like

10:17:25 25 Dr. Alford doesn't even run his own analysis in many of

1 these cases. He just defers to the plaintiffs' data  
2 because the data are going to produce the same results.  
3 It's not possible to come up and change these racially  
4 polarized voting results. When you run eiCompare or  
5 eiPack, you are going to get results like this.

10:17:40

6 **Q.** Now as a practical matter, other than seeing the final  
7 result and helping finalize the reports you did, did I or  
8 Mr. Gaber have anything to do with the math, analysis, or  
9 running R that you have described here?

10:17:56

10 **A.** No, not at all.

11 **Q.** In the room, on the phone, connected with you at all  
12 when you did it?

13 **A.** No.

14 **Q.** All right. Now, if you'll go with me to page 52 of  
15 Exhibit Brooks 269. What -- on the Congressional  
16 District 29 table, what is shown here?

10:18:06

17 **A.** Congressional District 29, it's showing the BISG  
18 results. Again, it's showing the results for Anglos,  
19 Hispanic/Latino, and Black using the two different  
20 methods, iterative EI and RxC.

10:18:31

21 **Q.** How do you interpret these results?

22 **A.** These results are quite similar to the previous page.  
23 It shows that, first of all, African Americans are quite  
24 cohesive in all of these elections. Over 90 percent in  
25 support of the same candidate. That in the

10:18:48

1 Hispanic/Latino community, their communities continue to  
2 be a majority support for Democrat, but that President  
3 Trump did do better than other candidates.

4 Again, here you see about a six- to seven-point  
10:19:04 5 difference in the same election between Trump and Cruz.  
6 And then you see some stronger results, as high as  
7 66 percent, for Mr. O'Rourke in the 2022 governor's  
8 election.

9 So there is a little difference in terms of what the  
10:19:20 10 exact percentage points are, but the pattern is extremely  
11 similar to the CD 9 that we just reviewed.

12 **Q.** When you look at the RPV table like this, what are you  
13 able to conclude, if anything, about what that tells you  
14 about how the state drew CD 29 in its new iteration?

10:19:36 15 **A.** Well, you can see here that these are communities  
16 where the Latino community is cohesive. The Black  
17 community is cohesive with the Latino community. And the  
18 White community in this electorate is voting majority  
19 Republican, but not nearly at the same rates as it was in  
10:19:57 20 CD 9, where it was in the 90 percent range.

21 And so this type of information gives a map drawer  
22 information about race and partisanship and allows them to  
23 make selections based on race because they can understand  
24 these partisan voting patterns within each racial group.

10:20:17 25 **Q.** And then just one last example on the RPV. Let me

1 take you to page 54, the top table, Congressional  
2 District 35.

3 What is shown here?

4 **A.** Here we see the vote choice in the '22 and '24  
10:20:32 5 elections. Again, by the same three racial groups. And,  
6 again, you'll see, you know, a slight increase here in the  
7 Latino columns, but the pattern is exactly the same.

8 So starting with the Trump/Harris election in '24,  
9 here in this CD 35 region, which is Bexar County and those  
10:20:48 10 outlying counties that we just heard about, about  
11 65 percent of Latinos voted for Harris compared to 35 for  
12 Trump.

13 On the Cruz/Allred election, again, we see the same  
14 pattern. Mr. Trump outperformed Mr. Cruz by about  
10:21:03 15 six points. And we see that same trend continuing back  
16 into '22, where a candidate such as Governor Abbott was  
17 yet another six points lower than even Cruz in '24.

18 So we see these almost exact same patterns in every  
19 part of Texas we look at, where there is still a majority  
10:21:21 20 Democratic cohesive vote among Latinos. Mr. Trump did do  
21 better, but it is more of an outlier than compared to the  
22 other elections.

23 Blacks are still quite cohesive here, between 78 and  
24 95 percent. And a very strong majority of Whites are what  
10:21:40 25 we would call bloc voting in the opposite direction, here

1 into the 80s voting Republican. So really canceling out  
2 the Black and Latino votes.

3 **Q.** Did you analyze then cohesiveness amongst minority  
4 voters and bloc voting by White voters in each of the  
10:21:56 5 three regions at issue today, the Houston metro,  
6 Dallas-Fort Worth metro, and San Antonio-Austin metro?

7 **A.** Yes.

8 **Q.** And are all those tables reported here?

9 **A.** Yes, all those tables are here.

10:22:06 10 **Q.** Now I would like to call your attention to what has  
11 been marked and not yet admitted as Defendants'  
12 Exhibit 570\_PI, Dr. Lewis's report and, in particular,  
13 this figure on the screen.

14 What is it?

10:22:19 15 **A.** This is an analysis that Dr. Lewis carries out to  
16 compare whether or not race and ethnicity predicts the  
17 Trump '24 outcome better, or whether or not other partisan  
18 metrics predicts the Trump outcome better. And he gives  
19 us two plots of his results. One that he calls the  
10:22:43 20 "Abbott," and that's on the left plot. And the second  
21 that he calls "race ethnicity."

22 **Q.** What is the Abbott plot showing us?

23 **A.** Here he is showing how well using Abbott 2022 vote  
24 choice in each VTD is correlated with Trump 2024 vote  
10:23:02 25 choice in each VTD. And the blue line in the middle is

1 showing the -- how good of a prediction. The dash line  
2 would be a perfect 100 percent correlation. It's there  
3 for illustrative purposes.

4 The blue line is his actual regression result of what  
10:23:20 5 the model is performing. And so in that first panel on  
6 your left, he is showing that, you know, the blue line  
7 curves a bit, starts out below the dash, but comes up  
8 above, but it's otherwise, in his opinion, a very close  
9 fit using Abbott '22 to predict Trump '24. That's on the  
10:23:36 10 left side of the screen.

11 **Q.** And then what is shown on the right side?

12 **A.** On the right side, he has his race and ethnicity  
13 model. And here you can see that there is what he would  
14 refer to as a bit more noise in the model because those  
10:23:50 15 circles, which represent every single VTD in Texas, are a  
16 bit more scattered. They are away from the line a bit  
17 more. And so there is more -- there is more noise than in  
18 the first model.

19 But the model fit is essentially the same. You can  
10:24:06 20 see that by looking at his blue line. That it's right on  
21 the money for predicting Trump vote when you use his race  
22 and ethnicity model, even though there is more noise in  
23 the model. And so both of these show that there are good  
24 predictions of Trump '24 vote using either Abbott or race  
10:24:27 25 and ethnicity.

1 Q. What is Dr. Lewis's point?

2 A. He is trying to make the point that the partisan vote  
3 in the previous cycle predicts the partisan vote in the  
4 next cycle. I would say that's something that we would  
10:24:43 5 never run in a statistical paper as a political scientist,  
6 because we know that if Precinct 22 voted 76 percent for  
7 Abbott, it's extremely likely that if those same exact  
8 voters are there two years later, they might vote  
9 77 percent for Trump.

10:24:58 10 This is just using basically the same variable on both  
11 sides. You are not learning anything new. You are just  
12 seeing a correlation that you would expect. And he is  
13 trying to show that. He is trying to show, look, if I use  
14 partisanship to predict partisanship, I get a very strong  
10:25:17 15 correlation. We would expect that.

16 Q. Why?

17 A. Well, because partisanship is a choice that people  
18 make. And they usually stick by that in their votes two  
19 years later. It's a deep literature on party affiliation.  
10:25:28 20 And that when people affiliate with a party, it is a good  
21 prediction of their vote.

22 What he doesn't get into is the -- and I talk about in  
23 my rebuttal, as well as previous cases, is the volumes of  
24 literature that explains what predicts partisan  
10:25:44 25 affiliation in the first place. And that is racial

1 attitudes and race and ethnicity.

2 So he is kind of mixing things up here. But as I  
3 said, my takeaway of this is that both models, that blue  
4 line is a very good fit. It comes very close to the  
5 dashed line, which means even in his race and ethnicity  
6 model, where he is admittedly using worse data from his  
7 perspective, he said CVAP data is not good data to use.

10:25:59

8 We scroll up to paragraph 5. He says you should use voter  
9 file data. He is not. He is using CVAP data. Even so,  
10 he has a very good model fit on that second panel.

10:26:20

11 **Q.** Is race and party heavily correlated here in Texas?

12 **A.** Yes.

13 **Q.** Is that what your 300 election RPV analysis shows?

14 **A.** It has shown that in almost every election we have  
15 seen here in Texas.

10:26:34

16 **Q.** You made a point about using actual voter files. When  
17 you say that Dr. Lewis said it's ideal to use actual  
18 voters, is that referring to the BISG?

19 **A.** Yes. That's a technique that -- that's the leading  
20 technique that political scientists would use, including  
21 Dr. Lewis.

10:26:49

22 **Q.** But Dr. Lewis didn't use BISG. He used CVAP.

23 **A.** That's right. He says -- just above here in  
24 paragraph 5, he says voter file is preferred. It gives  
25 you more accurate data. Then he said something like he

10:27:01

1 just didn't have enough time, so he was going to use CVAP.  
2 And that I believe explains part of the noise in his  
3 model.

10:27:13

4 **Q.** Well, that's what I was going to ask. Is that the  
5 noise that he complains about on the race/ethnicity side,  
6 the right side of the figure? What do you think is  
7 causing that noise?

10:27:26

8 **A.** We have seen it time and again. That once you take  
9 account of the actual voters who vote, your model has a  
10 much better prediction and a better fit. These are just  
11 eligible voters. That may not even be registered. And  
12 that's what Dr. Lewis is saying. He is saying that's not  
13 as good of data. We would rather have the actual voters  
14 on the voter file. And I agree with that.

10:27:40

15 And I think that's why there is a bit of noise in here  
16 because he is using CVAP.

10:27:55

17 **Q.** All right. Let's turn to the Exhibit 283, Brooks  
18 Exhibit 283, the report. This is the report that you  
19 produced after the Court scheduled this hearing and you  
20 had more time to run some additional analysis. You  
21 produced this report before the State had produced its  
22 reports.

23 Does that sound right to you?

24 **A.** Yes.

10:28:02

25 **Q.** All right. I want to take you to page 7 -- excuse me.

1 I want to take you to paragraph 5 first.

2 All right. What is it that you're describing or doing  
3 here?

10:28:29

4 **A.** Here, I am going in to the redist simulations that we  
5 ran in here.

6 **Q.** Let me stop you. I left something out of the 269  
7 report, so let me finish with that.

8 All right. In the 269 report, did you also do  
9 simulations?

10:28:41

10 **A.** Yes, we did.

11 **Q.** And what were those?

12 **A.** We did simulations for statewide, as well as  
13 county-based regional simulations in the Harris County  
14 region and the Austin-San Antonio region.

10:28:57

15 **Q.** So again on Exhibit 269, let me take you to page 41  
16 and this figure here or plot or what?

17 **A.** Plot.

18 **Q.** Plot.

19 On simulation plot 1, what is depicted?

10:29:12

20 **A.** This is using a four-county region that we just heard  
21 referred to in the previous testimony: Bexar, Guadalupe,  
22 Wilson, and Karnes. That's the county region where the  
23 new CD 35 is.

10:29:30

24 This is showing the probability that you would create  
25 a majority Hispanic district, CVAP district, that Trump

1 carried by approximately ten points in this region. And  
2 each one of those dots is essentially one of the outcomes  
3 of the 332,000 simulation draws.

10:29:53

4 **Q.** So did the State map C2333 result in a district in the  
5 Bexar County region, a new district under the new map that  
6 was more than 50 percent Hispanic CVAP?

7 **A.** Yes. It was 50.4, something like that. 51.1. It was  
8 very -- just above 50.

10:30:09

9 **Q.** Is this the southeast Bexar County that goes out to  
10 Wilson County and some other districts?

10:30:22

11 **A.** Yes. These counties here. Here we are doing a  
12 county-based analysis. That's CD 35. We were asking the  
13 computer to tell us what is the probability or the likely  
14 outcome of achieving a majority Hispanic district. The  
15 most likely outcome in this chart is that black dot.  
16 That's the mean average of the draws, which you see comes  
17 in and it estimates somewhere around a 37 percent Hispanic  
18 CVAP district. And it could go as high as -- in  
19 0.01 percent of the cases, as high as 48 or 49.

10:30:42

20 **Q.** How many green dots are there?

21 **A.** 332,000.

22 **Q.** Why is it that number? Why do you know that?

10:30:56

23 **A.** That's the number of simulations that we drew in this  
24 four-county region that were able to draw a Trump-plus ten  
25 district in this four-county area.

1 Q. And what is the dotted black line that had .50?

2 A. That's the 50 percent Hispanic CVAP. That's telling  
3 us -- and all of those numbers below, the .45, .40, .35,  
4 that's telling us for each one of those green dots, the  
5 maps, what was their Latino percent.

10:31:14

6 Q. And out of the 332,000 maps that the software drew,  
7 how many of them achieved a 50 percent Hispanic CVAP  
8 district in this region?

9 A. Zero.

10:31:24

10 Q. And what did you tell the system were the parameters  
11 to draw these 332,000 maps?

12 A. We tried to match the State parameters as much as we  
13 could. And so we tried to tell it to put in constraints  
14 for drawing a district that President Trump carried by  
15 about ten points.

10:31:46

16 Q. Why did you pick that benchmark?

17 A. Ten points, my understanding, was the exact number  
18 that Mr. Kincaid had given in a deposition of what he  
19 considered a safe district. And so we were operating  
20 under the assumption that that is what the State was using  
21 and that's what map drawers would be using to create safe  
22 districts, and so we did 55 percent, which would be 55/45  
23 as our metric.

10:32:01

24 Q. Did you have other parameters that you provided it?

10:32:17

25 A. Yes. It also has parameters on county splits and

1 compactness and other redistricting standards, contiguity.

2 **Q.** Similar to the ones that Dr. Trende used in the  
3 May-June report of his?

10:32:35

4 **A.** Yes. These are items that you set in the redist  
5 software, and Dr. Trende set those himself in his own  
6 analysis.

7 **Q.** Now I take you down to plot 3. What is shown here?

10:33:01

8 **A.** Plot 3 is the result of a four-district skein in the  
9 Harris, Liberty, and Fort Bend Counties, where those three  
10 counties have four districts that were majority Trump by  
11 ten points or more. And we wanted to see what is the  
12 probability of drawing one majority Hispanic CVAP district  
13 if you just centered your simulations to those three  
14 counties.

10:33:15

15 **Q.** What does this show?

16 **A.** This shows that across those four districts what their  
17 expected average would be and their range shown by the  
18 green dots again. And you can see that none of them cross  
19 50 percent. You get as high as something around 42 to  
20 44 percent.

10:33:35

21 **Q.** Now, again, we see these somewhat neat looking green  
22 squares. What makes up those green squares?

23 **A.** Well, each one of them has hundreds of thousands of  
24 dots in them. These are the actual simulations from the

10:33:46

25 map. And it's showing where on the spectrum they are. So

1 they look like just a square, but they are really made up  
2 of them. You could see that a little bit better when we  
3 only had one on the previous slide. They are made up of  
4 hundreds of thousands of little dots.

10:34:00

5 You can kind of see at the top or the bottom of  
6 each -- and those are what we call the tails of the  
7 distribution -- there is less dots there. And so each of  
8 them, they look a little jagged on the top and the bottom  
9 and that's just because there is less dots there.

10:34:14

10 **Q.** And the black square, I notice, here is larger. Does  
11 that mean anything?

12 **A.** No. I think that's just the, you know, preference  
13 that Mr. Rios probably had in drawing these particular  
14 plots. It's still the mean. It's still the average of  
15 the draw. And so here they are just right in the middle.

10:34:29

16 **Q.** Okay. Now let's go to 283. So 283. Now you have  
17 received a report from other parties; but you keep working  
18 on analysis, given that the trial in this proceeding has  
19 been set later.

10:34:47

20 Does that sound right to you?

21 **A.** Yes. That's correct.

22 **Q.** What additional analysis do you choose to do?

23 **A.** Well, as it says here in paragraph 5, which I think we  
24 were talking about, we were building on that regional

10:34:57

25 analysis that we just discussed. So we did some regional

1 analysis in that first report in August, and we wanted to  
2 continue that.

10:35:14

3 And so a big part of this September 5 supplement was  
4 to go in into additional regions, including DFW, and to  
5 use the exact district boundaries that the State had used.  
6 So rather than using a county approach, which is still a  
7 rough approximation of where the districts are, here we  
8 wanted to use the exact same boundaries that the State had  
9 used.

10:35:29

10 **Q.** And let's go then to page 7. Is this -- in  
11 Appendix A, is this where it starts the results of those  
12 analysis?

13 **A.** That's correct.

10:35:48

14 **Q.** All right. What is this -- what is shown here in  
15 Figure S1?

16 **A.** Figure S1, I believe, is the Harris County region.  
17 And we looked at the CD 7, 18, and 29 boundaries. And we  
18 wanted to simulate the probability that you would draw a  
19 majority Black CVAP district in this region in that area.

10:36:08

20 So if you were confined to these areas, this specific  
21 geography, not just Harris County but the very specific  
22 same geography that the State used, how many times -- and  
23 here we had 1.1 million district draws -- would you get a  
24 Black CVAP district.

10:36:26

25 **Q.** And why did you pick CD 7, 18, and 29?

1 **A.** Well, this is the region in which the State drew one  
2 Black majority CVAP district, and where they would be  
3 drawing their population from to possibly draw a Black  
4 majority CVAP district.

10:36:44

5 **Q.** And to be clear on these figures that we're going to  
6 walk through now, they relate to the geography in  
7 Plan C2333?

10:37:00

8 **A.** That's correct. In the new Plan C2333, in these exact  
9 boundaries, the State has drawn a Black majority CVAP  
10 district. We wanted to tell the computer, take those same  
11 exact boundaries and tell us how often, out of your  
12 million draws, do you get a majority Black CVAP district.

13 **Q.** I should have brought this up at the earlier statewide  
14 histogram. Is this what -- what is this called?

10:37:15

15 **A.** Histogram.

16 **Q.** Histogram. All right.

10:37:32

17 If another expert then were to take your code and run  
18 it without a seed, and they get -- and they also ask it to  
19 do 1,180,824 simulations, what do we expect their  
20 histogram is going to look like compared to yours, if we  
21 can expect anything?

10:37:48

22 **A.** It should look roughly equivalent to this. This is  
23 what Dr. McCartan explains in his email to me. That each  
24 simulation should have exactly the same shape. One of the  
25 bars might be slightly higher or lower because it's a new

1 fresh random draw. But it should have the same shape and  
2 it should have the same bounds. The higher and the lower  
3 point should be roughly the same. And that's the whole  
4 point of data science, is to take a new random draw.

10:38:04

5 **Q.** And what is the conclusion you reached from this  
6 histogram?

7 **A.** In this, that there was a zero possibility of drawing  
8 a majority Black district through the simulation draws.  
9 It would have to be something that was purposefully done.

10:38:17

10 **Q.** Then if we go to the next figure, S2, what is shown  
11 here?

12 **A.** Here we moved the geography to the Dallas-Fort Worth,  
13 Dallas, Tarrant County region. We're looking at two  
14 districts, CD 30 and CD 33. So, again, confining to just  
15 those bounds, just the shapes that are in CD 30 and 33.

10:38:32

16 Here we ran 778,000 district possibilities. And it shows  
17 the distribution of what those two districts would look  
18 like.

19 **Q.** And what is the takeaway conclusion?

10:38:46

20 **A.** Neither district has a probability of being over  
21 50 percent. There is an extremely low possibility, that's  
22 that very last purple bar, that you could get as high as  
23 47 percent. But that -- even that is a very low  
24 probability.

10:39:01

25 The highest bars you can see are the main average.

1 And it's showing those somewhere around maybe a district  
2 that's 42 percent and another district that's around  
3 32 percent Black.

4 **Q.** I note here that there are, as you have mentioned,  
10:39:15 5 there are 787,216 simulations in this. There was a number  
6 over a million in the prior.

7 Why are those different?

8 **A.** Well, the other one, we are doing a three-district  
9 simulation, so each one is probably having a similar  
10:39:30 10 number of ensembles that are being run. And here we're  
11 plotting the outcomes of all the districts. So just  
12 because there was a third district in that 7, 18, 29  
13 configuration in Harris, it added another roughly 350,000  
14 or so district possibilities.

10:39:46 15 **Q.** So then we'll go through these somewhat quickly. What  
16 is Figure S3 showing?

17 **A.** S3 now takes us to the seven-district region that  
18 comprises the larger Harris County region. There are  
19 seven districts in this region; and they are enumerated  
10:40:02 20 there, 2, 8, 9, 14, 22, 36, 38. Those are the seven  
21 districts using that geography. There are seven districts  
22 in that region that are plus 10 or greater for Mr. Trump.

23 We wanted to say, if you had to draw seven districts  
24 that are plus 10 or greater, what is the probability or  
10:40:24 25 possibility that you would get one 50 percent Latino

1 district.

2 **Q.** Well, and why does this look different? The other one  
3 had bars, pink bars, and a line vertically. This one has  
4 a horizontal line and green boxes. Why are they  
5 different?

10:40:40

6 **A.** They are just two different types of plots. This is  
7 more of a box plot that's showing each of the seven  
8 districts. The other was a histogram, also for each of  
9 the districts. But this is showing us for each of the  
10 seven districts what is their individual probability of  
11 being over 50 percent.

10:40:50

12 **Q.** And what is the takeaway conclusion?

13 **A.** Well, none of the districts here approach 50 percent.  
14 There is a zero percent probability that you would draw a  
15 majority Latino district if you had to draw seven Trump  
16 districts in this region.

10:41:02

17 **Q.** Well, and that's an important point. Are you dealing  
18 with partisanship at all as you are running these?

19 **A.** We are controlling for partisanship. We are using --

10:41:15

20 **Q.** How so?

21 **A.** We are using the same exact constraint that the State  
22 has set. They had said we want these districts to be plus  
23 seven -- or excuse me -- plus 10 Trump or greater. And so  
24 we have programmed it to say use that constraint. Draw me  
25 seven districts in this geography that are plus 10 or

10:41:30

1 greater for Trump and then tell me what is the expected  
2 Hispanic CVAP of those.

10:41:47

3 And so here we're seeing that the highest you might  
4 get is somewhere around 43 percent in that Number 7, but  
5 none of them cross over 50.

6 **Q.** All right. Now let's go to Figure S5. What is shown  
7 here?

10:42:01

8 **A.** Figure S5 is a similar plot. This is for the -- what  
9 we're calling South and Central Texas region that  
10 comprises CDs 21, 23, and 35. The same exact question.  
11 If you draw these districts plus 10 or greater for Trump,  
12 what is the probability that you would get majority  
13 Hispanic districts.

14 **Q.** And what is the conclusion there?

10:42:18

15 **A.** The conclusion here is that on balance you should get  
16 one majority Hispanic CVAP district. I believe in  
17 practice that has historically been CD 23, a district in  
18 South Texas that is a majority Hispanic that Mr. Trump  
19 carried.

10:42:37

20 But even that, you see there on that Number 3, there  
21 is at least some probability that it could be below  
22 50 percent. That's the green portion underneath. And  
23 then there is a second district that might have a slight  
24 10 percent probability of being over, but really is sort  
25 of canceled out by that green in the third column.

10:42:53

1 So really on balance, if you were drawing three  
2 districts here, one should be expected to be majority  
3 Hispanic, not two.

10:43:09

4 **Q.** All right. Let's go to Figure 7. What is this thing  
5 called? It looks different than the other things.

10:43:26

6 **A.** It's basically the same as the plot we were just  
7 looking at. It looks different because there is  
8 38 -- there is 38 districts here now. And so this was our  
9 first effort to imply a statewide. And, again, it took us  
10 until September 5th to be able to run this.

11 **Q.** Why?

10:43:39

12 **A.** Because it was very computing power intensive. The  
13 constraints were very limiting that we were using, because  
14 we were trying to match exactly what the State did. And  
15 we finally were able to get it to run. We put in a  
16 55 percent constraint, saying draw districts that are  
17 55 percent or greater for Trump. And we told the computer  
18 you need to draw 30, because that's what the State did.

10:43:57

19 When we put that constraint in, this data is showing  
20 -- this plot is showing it was only able to return 29. It  
21 was not even possible, according to the computer  
22 simulations here, to return 30 districts if we had a hard  
23 55 percent threshold. And so that's what we were just  
24 plotting here is that, in fact, we only came back with 29.

10:44:15

25 **Q.** Well, how many districts did the State draw?

1 **A.** The State drew 30. So we continued on that exercise  
2 to try to match them. But at the 55 percent limit, it  
3 only came back with 29.

10:44:31

4 **Q.** All right. Well, since the State was able to do it,  
5 doesn't that mean that this doesn't tell you much?

6 **A.** Well, we were able to do it. We just had to lower the  
7 threshold a little bit.

8 **Q.** Is that what you are showing in S10?

10:44:46

9 **A.** In S10 we lowered the constraint to 53. And you can  
10 see that when we do that, it is able to draw 30 districts  
11 that Mr. Trump carries. And they are not necessarily at  
12 53, we just -- we were able to lower the threshold there.  
13 So some of these are 54, 54 1/2. But the 55 percent  
14 threshold was one that we weren't -- that the computer was  
15 not able to replicate what happened. When we lowered it  
16 to 53 and gave it a little bit more flexibility, it was  
17 able to draw the 30 districts; and that's what we used for  
18 this plot and the subsequent.

10:45:04

19 **Q.** On these -- I'm using a mouse. I'm not sure if you  
20 can see that on the screen. Can you see that?

10:45:21

21 **A.** Yes.

22 **Q.** All right. So where the last three red sort of boxes  
23 close to the dotted line then meet the blue boxes below  
24 the dotted line, are those the districts that are in the  
25 high 40s?

10:45:31

1 **A.** High 50s, yes.

2 **Q.** Okay.

3 **A.** So those are, at least on the plot, just to be clear,  
4 9, 10, and 11. And those are the ones that are probably  
10:45:41 5 in that 54 range, maybe not quite to 55; but it's still  
6 able to produce, you know, these might be plus 8 1/2 or  
7 plus 9, 9 1/2 for Trump.

8 **Q.** And the C2333 plan that the State passed, did it end  
9 up with some districts in the 54 range?

10:46:01 10 **A.** Yes, they also did. We had been using 55, because of  
11 the deposition testimony of Mr. Kincaid. But when I  
12 actually looked at the real results of C2333 of the plan,  
13 there are three districts that round up to 55; but they  
14 are actually below 55. They are like 54.6, 54.7, and  
10:46:22 15 54.8. And so I think they were probably doing something  
16 similar where they had to relax the assumptions a little  
17 bit in order to hit those marks.

18 **Q.** All right. Now Figure S11. What is shown here?

19 **A.** So this is that same map that we just looked at. But  
10:46:36 20 instead of showing the Trump vote, here we are showing on  
21 the y-axis how heavily Latino these 30 -- just the 30  
22 Trump districts are.

23 **Q.** And --

24 **A.** Go ahead.

10:46:49 25 **Q.** What is the takeaway?

1 **A.** Well, the takeaway is that you see in purple those  
2 that eclipse 50 percent and are a majority, but there is  
3 only four. And the State has drawn many more than four  
4 majority Hispanic districts out of their 30.

10:47:05

5 What this is telling us is that the natural draw with  
6 the Hispanic population in Texas, when you draw 30 Trump  
7 districts, you could get four that are majority Hispanic  
8 CVAP but you should not get more than four. Those are all  
9 the rest in green as saying they should be below

10:47:24

10 50 percent.

11 **Q.** And why do you think that matters, that conclusion  
12 matters?

13 **A.** Well, because it shows that what the State did is a  
14 statistical outlier. It doesn't show six majority

10:47:36

15 Hispanic districts out of those 30. In fact, it shows for  
16 those next districts they're, you know, down in the 40s,  
17 which is what our other simulation shows. It wasn't  
18 something that the computer was able to produce six  
19 majority Hispanic districts out of the 30 Trump districts.

10:47:52

20 **Q.** All right. Let me go back to page 5 and paragraph 18.

21 What are you discussing there?

22 **A.** Here in paragraph 18 we're looking at the total number  
23 of districts in which there was a single race which was a  
24 majority, something that the State had talked about at

10:48:17

25 least in the media, between state leaders and the

1 governor, about trying to create more districts in which  
2 there was a single-race majority. And here we ask the  
3 simulation to tell us that as well.

4 If you were drawing districts -- and the reason this  
10:48:33 5 is important is that Texas is a very diverse state. And  
6 there is large populations of Anglo, Black, and Hispanic,  
7 and now increasingly today Asian American. And so  
8 single-race districts may not be just an automatic natural  
9 outcome in Texas. If you just have a computer blindly  
10:48:50 10 draw districts, you may not get that.

11 And so that's what we've attempted to show here is  
12 that you would and you would normally get a lot of  
13 districts in Texas in which no group, no single group is a  
14 majority. But the State plan appears to preference  
10:49:09 15 single-group majority districts; and they have drawn many,  
16 many more single-race majority districts than what the  
17 computer simulation did.

18 **Q.** Let's transition to a different type of analysis that  
19 you included in Brooks 283. And starting on Figure S12,  
10:49:29 20 what is shown here?

21 **A.** These are the changes to Congressional District 9. I  
22 believe I talked about this in Section 3, paragraph 19 of  
23 the report. And these are the accompanying images, as  
24 well as data charts that document the final changes that  
10:49:49 25 the State map drawers undertook in CD 9.

1 Q. So there was a plan that was 2331 and it was later  
2 changed and labeled 2333.

3 Do you understand that?

4 A. Yes. That's correct.

10:50:02 5 Q. So what you are showing here are the changes between  
6 those plans in the Houston area?

7 A. Yes. That's correct. In this particular image what  
8 is highlighted in yellow, those are the 2331 boundaries.

9 And so you see what used to be CD 9, it was wholly  
10 contained in Harris County. It's outlined in yellow  
11 there. The red outline is showing where the new and  
12 ultimate 2333 map put the boundaries.

13 Q. And then what is this data table on the side?

14 A. The data table is telling us the existing population  
10:50:34 15 of District 9. District 9 had been 57 percent Republican.  
16 You can see there at the bottom. And it had been  
17 50.4 percent Hispanic.

18 Q. Where did you get the data in this table?

19 A. This data is data that's preloaded into the DRA  
10:50:52 20 software.

21 Q. Is that a software that experts on both sides of this  
22 case have relied on?

23 A. Yes.

24 Q. All right. So is it fair to describe what we're going  
10:50:59 25 to go through here in these figures as walking through the

1 kind of anatomy of the changes made?

2 **A.** That's correct. And not only visually looking at how  
3 the picture changes, but that data table on the left is  
4 going to document how the population and partisanship  
5 changed each time a county or a VTD was added or  
6 subtracted.

10:51:15

7 **Q.** All right. Then going down to S13, what is shown  
8 here?

9 **A.** Well, this is the first thing that map drawers in  
10 Texas did, is they added Liberty County. They publicly  
11 stated that their goal was to try to decrease the  
12 partisanship of this district. It was only 57 percent for  
13 Trump. And they had indicated they wanted to make it even  
14 stronger performing for Mr. Trump. And so they added  
15 Liberty County. And by adding Liberty County, you can see  
16 it went up to 60.2 there at the bottom. So they added  
17 three points of more partisanship by doing this.

10:51:29

10:51:43

18 But now it's overpopulated. Down there at the bottom,  
19 this district has 858,000 people. That's 91,000 too many  
20 people. So the subsequent changes they are going to have  
21 to make is going to have to be to take people out of this  
22 district in order to get it to the equal population  
23 balance.

10:52:02

24 **Q.** But by moving Liberty County into it, what did that do  
25 with the citizen voting age population between Hispanics

10:52:12

1 and Whites?

2 **A.** Well, you can see that Liberty County is very heavily  
3 Anglo, has brought the Anglo/White percentage up to 38.  
4 And it dropped the Hispanic percentage down to 46. So  
5 about a four-point decrease in Hispanic population by  
6 adding Liberty County, which is majority Anglo.

7 **Q.** And then what is shown here at S14?

8 **A.** So these are then the steps of getting -- of what took  
9 place after adding Liberty County. The first thing we  
10 estimate is that they removed this portion of Harris  
11 County which bordered Liberty County, which I have the  
12 number 1 in. And by doing this, this helped them get rid  
13 of about 65,000 people.

14 So now they are closer to their target. Remember,  
15 they were 91,000 people overpopulated. So they removed  
16 that area of 1. And now they are only 28,000. So they  
17 have still got to remove 28,000 people, but they are  
18 getting closer.

19 **Q.** What does that do to the CVAP and the politics?

20 **A.** Well, you can see that the politics are now going  
21 down. It's now only 58.6 percent Trump. So they are  
22 going backwards. They are making it less Republican  
23 performing, but they have now increased the Hispanic  
24 number getting closer to that 50 percent number that we  
25 believe they were targeting. So it's 49.8 now. It's not

1 quite yet majority; but it's getting closer, even as it is  
2 guessing less Republican.

3 **Q.** Then what is shown here in S15?

4 **A.** Here I'm just pulling out the details of that red area  
10:53:43 5 1 that was just removed. And that red area 1 that was  
6 removed from the district is 75 percent White and only  
7 12 percent Hispanic. And it's 69 percent, almost  
8 70 percent Republican, you can see there at the bottom.

9 So that area that they moved out -- they had to move  
10:54:00 10 some people out because of that 91,000 too many people  
11 when they added Liberty County; but they extracted these  
12 60,000 people or so who were 70 percent Republican and  
13 75 percent White.

14 And so my conclusion of that is that is not achieving  
10:54:17 15 the partisan performance. You are taking out a racial  
16 population and trying to replace it with a separate racial  
17 population. And the partisan performance is actually now  
18 increasingly getting worse at each step.

19 **Q.** What is shown then at S16?

10:54:32 20 **A.** 16 here is an alternative map of what could have been  
21 done if they wanted to add Liberty County, if that was an  
22 objective. And, you know, that might have been something  
23 that the mapmakers wanted to join Liberty County in. And  
24 so this is an alternative map of CD 9 where they could  
10:54:48 25 have kept the Republican performance at 60 percent if that

1 was the objective, if that was their true objective. They  
2 could have kept it at 60 percent. But they would have  
3 been below 50 percent Hispanic. This one shows only 49.7.

4 Because by including that little yellow sliver that  
10:55:04 5 borders Liberty County, we just discovered on the previous  
6 image that that's very heavily Anglo and very heavily  
7 Republican. And so they can achieve their partisan  
8 performance numbers that they want and get population  
9 balance, but they are going to have to sacrifice the  
10:55:20 10 Hispanic population.

11 **Q.** What is shown in S17?

12 **A.** 17, I'm just showing the additional steps going back  
13 to what they did. Now you see two additional areas in red  
14 that were cut out. These had formerly been in 2331. Now  
10:55:33 15 these two other areas in red on the map were cut out. And  
16 now by cutting those out, they have underpopulated the  
17 district. So they have cut out -- they were 28,000 over.  
18 They are now 8,000 under, 9,000 under almost.

19 And so the district itself is getting less heavily  
10:55:55 20 Republican as the part that they are cutting out -- you  
21 can see here, the part that they cut out here is  
22 62 percent Republican and 55 percent Anglo. But they had  
23 to make those cuts, not those, they had to make some cuts  
24 because they have get the population balance right. And  
10:56:09 25 so I'm trying to explore why did they make these cuts.

1 Q. And then what is shown in S18?

2 A. S18 is now what does the overall district look like  
3 with those additional cuts. They are now underpopulated,  
4 so they are going to have to add back 9,000 people. They  
10:56:26 5 are doing better on partisanship. They actually went up a  
6 tiny bit. They are at 59. But the Latino number is still  
7 not at 50 percent yet.

8 And so we estimate that they now -- they have to find  
9 9,000 more people to add back in their district. And  
10:56:40 10 we're going to see where they are doing that over here on  
11 the right side of the screen over bordering Chambers  
12 County.

13 Q. Now we're on S19. Is this what we've been referring  
14 to as the Baytown 8?

10:56:54 15 A. Yeah. This we're sort of calling the Baytown 8  
16 because the two images there together kind of look like a  
17 figure eight. The bottom part that has the number 3 in it  
18 and then the top part that's got the red outline and kind  
19 of looks like a number -- just an infinity loop number 8.  
10:57:10 20 And this is Baytown. That's the name of the community  
21 there.

22 And here what I've documented is this area here, 3,  
23 this is the area they added in next. Remember, they are  
24 now 9,000 underpopulated because of those cuts they made.  
10:57:25 25 So they have to add people in. This area has

1 approximately 19,000 people. They don't need to add  
2 19,000 people. They need to add 9,000. They added 19,000  
3 people because they wanted that whole area because this  
4 whole area is majority Hispanic, 54 percent. You can see  
5 to the side of your screen. Only 31 percent Anglo.

10:57:40

6 And this area that they added in is 52 percent  
7 Democrat. This is a majority Democrat area and a majority  
8 Hispanic area.

9 **Q.** What is shown on S20?

10:57:52

10 **A.** Well, they still had to make one more cut because they  
11 were now 10,000 over. Because they added 19,000 people.  
12 They could have just added half of that part of Baytown.  
13 They didn't need to add all 19,000.

14 We estimate that they wanted to add all of it because  
15 they were trying to get the Hispanic number back up, not  
16 the partisan number. Here, the population they cut, this  
17 is the other part of Baytown that they cut out now in 3 to  
18 get to their perfect deviation population, is actually  
19 majority White. It's 53 percent White, only 38 percent  
20 Hispanic. And this area that they cut out is 66 percent  
21 Republican.

10:58:22

22 So these final cuts, these changes, they are  
23 prioritizing getting that Hispanic number back up to over  
24 50 percent. And they are removing, constantly removing  
25 Anglos and Republicans.

10:58:38

1 Q. So once the Baytown 8 swap is made, how does the  
2 district -- what is its characteristic based on CVAP?

3 A. I think we might have that in the next chart. This is  
4 the final detail, Figure S21. You can see they are now  
5 over majority Hispanic. It is 50.1 percent Hispanic it  
6 shows over there. It's 59 percent Republican.

7 So they weren't able to achieve what they did in the  
8 first, which was over 60. But they were able to get the  
9 Hispanic number over 50 percent.

10 Q. Now, to be fair, do you actually know, you know, that  
11 this is the order that whoever drew the map went in?

12 A. I don't know the order. This is the most logical  
13 order when Liberty County was added. Liberty County had  
14 to have been added first because they added an entire  
15 county. They couldn't have done all these other cuts and  
16 then -- so that was -- we know that had to have been  
17 first.

18 And then these other cuts are going in the order that  
19 appears to be the most logical of how they arrive at the  
20 exact population distribution.

21 Q. And to be clear, this change, these changes you have  
22 just chronicled through these figures, that was made after  
23 legislature started taking up the plan when it  
24 transitioned from 2331 to 2333?

25 A. Correct. These are two of the plans that were

1 proposed and debated.

2 **Q.** Do we know whether it's legislators that made these  
3 swaps or the mystery map drawer?

11:00:09

4 **A.** I don't know who made these. I'm just sort of showing  
5 you, like, from like a data forensic science perspective,  
6 what my intuition is telling me about the addition of  
7 Liberty County and the removal of these other Republican  
8 areas. They could have easily added Liberty and then cut  
9 out other Hispanic areas if they wanted to make this map  
10 more Republican. That would have made it more Republican.  
11 But they didn't. They kept coming back and adding  
12 Hispanics back in to get that number over 50.

11:00:25

13 **Q.** So what is your takeaway conclusion from the Baytown 8  
14 swap?

11:00:36

15 **A.** Well, that part in particular in Baytown is very  
16 clear. They added in more people than they needed to who  
17 were majority Hispanic and majority Democrat. And they  
18 removed a majority White, majority Republican area. That  
19 suggests that race was being focused on and not  
20 partisanship.

11:00:55

21 **Q.** All right. Now I want to go to a different kind of  
22 analysis and show what you have here in the next section  
23 of your report.

24 What do I have here on the screen as S22?

11:01:10

25 JUDGE GUADERRAMA: Mr. Dunn, before we get to the

1 next section, it's 11:00. It's time for our morning  
2 break. Let's take that and come back at 11:15 and get to  
3 the next section.

4 MR. DUNN: Thank you.

11:01:23 5 COURT SECURITY OFFICER: All rise.

6 MR. VELEZ: This court stands in recess.

7 (Recess from 11:01 a.m. to 11:16 a.m.)

8 JUDGE GUADERRAMA: Be seated, please.

9 Dr. Barreto on the witness stand. Mr. Dunn.

11:16:34 10 MR. DUNN: Thank you, Your Honors.

11 BY MR. DUNN:

12 **Q.** So to get us back in our place, we were looking at  
13 Brooks Exhibit 283 and transitioning to Figure S22, which  
14 should be on your screen.

11:16:47 15 All right. Dr. Barreto, what have you done here?

16 **A.** Figure S22 takes that District 9 and shades each VTD  
17 by the Trump/Harris vote in November '24, just by the  
18 degree of red or blue.

19 **Q.** Why did you do that?

11:17:04 20 **A.** Well, to highlight that those areas that we were  
21 speaking about, in particular that region in Baytown that  
22 forms the Figure 8 where your cursor is there, showing  
23 that that area that was included here is a majority  
24 Democratic area. The area that was excluded was a  
11:17:23 25 majority Republican area. And you can see some of the

1 other changes that were made to this map, you know, as per  
2 the partisanship.

11:17:38

3 **Q.** And why -- just to put a fine point on it, why does it  
4 make a difference to you that the part in the Baytown 8  
5 that was included is shaded Democratic vote and the part  
6 that was excluded is shaded Republican vote?

11:17:56

7 **A.** Well, we're trying to determine whether or not the map  
8 changes that were made and the map that was made was for  
9 partisan performance. And here it would indicate that it  
10 wasn't, that it was made, as we just reviewed, by  
11 prioritizing race and trying to get that Hispanic area of  
12 Baytown into the district and excluding the Anglo area.

11:18:11

13 This now adds, on top of that, that that area there  
14 that was included is majority Democrat and the area that  
15 was excluded is majority Republican. As well as some of  
16 those other areas of Harris County that border Liberty  
17 County that were taken out. Those are bright red. Those  
18 are very high-performing Republican areas that were  
19 excluded on that map.

11:18:24

20 **Q.** Where I'm pointing here?

21 **A.** Yes. That region here had formerly been part of the  
22 C21 -- or 2331, the previous map; and then it was excluded  
23 in the final map.

11:18:41

24 **Q.** Now, have you done these partisan shading maps for  
25 each of the three regions at issue in this proceeding?

1 **A.** Yes, I have.

2 **Q.** Well, those will be in the record for the Court if  
3 it's interested in looking at them. I'll just look at one  
4 more, which is S26.

11:18:54 5 All right. What is shown here?

6 **A.** S26 is showing the Dallas-Fort Worth, DFW, region.  
7 And here Congressional Districts 30 and 33 are sort of in  
8 the middle of the screen in the Dallas region and, again,  
9 just showing the partisan shading of the Trump/Harris 2024  
10 vote.

11:19:15 11 **Q.** Why did you do that?

12 **A.** Well, because, again, if partisanship were the real  
13 indicator, we would expect, as we compared the old bounds  
14 of CD 30 to the new bounds of CD 30, there were plenty of  
15 Democratic VTDs in Dallas, including in the old map, that  
16 could have been drawn on. In fact, the old map was just  
17 as Democratic as the new map.

11:19:29 18 But changes were made to the old map. And the  
19 changes, as we show in other parts of the report, were  
20 based on the African American population, not on the  
21 partisanship. So we can see the partisanship in here and  
22 we've used that to contrast to the race on other maps.

11:19:46 23 **Q.** After you produced this report, was it then that you  
24 received the reports from the State?

11:20:01 25 **A.** That's correct.

1 Q. And with regard to the simulations, what was the  
2 critique?

11:20:17

3 A. Well, there were two main critiques, I think, from  
4 Dr. Trende. The first was with respect to the VTD splits  
5 and the analysis of the VTD splits. And he has 20 pages  
6 of charts on split precincts.

11:20:34

7 And then with respect to the simulations, his main  
8 critique is that there is low -- what he calls low plan  
9 diversity. Meaning that out of the hundreds of thousands  
10 or millions of simulations that we have already discussed,  
11 many of them are quite similar to each other and they  
12 don't represent unique plans.

13 Q. And did you produce a rebuttal report to address these  
14 issues under the agreed schedule?

11:20:47

15 A. Yes, I did.

16 Q. Was that produced this Monday of this week?

17 A. That's correct.

18 Q. Turning to Brooks Exhibit 284, is this your rebuttal  
19 report?

11:20:54

20 A. Yes, it is.

21 Q. And now I want to look at paragraph 4. What is it  
22 that you are expressing here?

11:21:10

23 A. On paragraph 4 I start by addressing some of these  
24 split VTDs, or split precincts, that Dr. Trende is  
25 discussing. The issue at hand is whether or not racial

1 data or partisan data was informing the maps. Our  
2 critique was that when you split precincts and you go down  
3 to census blocks, you only have data on race.

4 At a census block level, there is no data on how  
11:21:29 5 people voted in just one block. You only know the race  
6 and ethnicity of people. And so by having so many split  
7 VTDs, it gives the map drawer the ability to sort people  
8 based on only race. They cannot be sorting people any  
9 more at this point on partisanship because there is no  
11:21:45 10 partisan data at the census block level.

11 Dr. Trende responded in his own declaration and  
12 provided images of 19, I believe, split VTDs. And he made  
13 the case that it was no big deal that, you know, there was  
14 Black and Hispanic and White populations and are included  
11:22:06 15 or excluded.

16 **Q.** Well, what -- in the response to that they said this  
17 is a congressional map and the population deviation has to  
18 be near perfect and so you have to split some census  
19 blocks? Isn't that the answer?

11:22:17 20 **A.** Splitting census -- splitting precincts is inevitable  
21 for a congressional plan because you do have to get to  
22 population deviation. The question is: What choices are  
23 made when you split a precinct? Are you purposely still  
24 getting the more high density Black population and putting  
11:22:34 25 it in a district to make it majority Black? Or are you

1 just drawing lines to try to hit perfect population?

2 And so that's what I have looked at here in  
3 Dr. Trende's analysis is what decisions were made by the  
4 map drawer on what part to include in a district and what  
5 part to exclude once they got to the splitting.

11:22:49

6 **Q.** Let's go to paragraph 6. What are you reporting here?

7 **A.** Well, here again, I'm looking at the -- just the 19  
8 split VTDs that Dr. Trende is reporting on. I'm using his  
9 data in his declaration.

11:23:05

10 And he is using split VTDs as they relate to  
11 District 18, which was drawn as a majority Black CVAP  
12 district. And so here, looking at his own data, we can  
13 see in this first example, it's Precinct Number 83. He  
14 highlights it on page 14. The part that Dr. Trende says  
15 was included in District 18 by the map drawer had a Black  
16 population four times higher than the part that was  
17 excluded.

11:23:24

18 So when the person made the decision of, all right,  
19 we've got to split Precinct 83 up, part of it is going to  
20 have to go in District 18, part of it is going to have to  
21 go out, they put the higher Black part in District 18.

11:23:37

22 **Q.** Well, couldn't have that happened by chance?

23 **A.** It should happen by chance occasionally. But in  
24 inspecting the VTDs that Dr. Trende is reporting on,  
25 almost all of them, when they were split, and this is -- I

11:23:53

1 didn't do this data. He presented this data. They are  
2 putting the part -- when they break the VTD apart, they  
3 are putting the part with the higher Black population in  
4 18 and they are putting the part with the lower Black  
5 population out of 18.

11:24:07

6 So that to me suggests that it's no longer just  
7 coincidence at that point. There is a pattern there. And  
8 I detail that in 6, 7, and 8.

9 **Q.** Let's go to 7. What are you reporting here?

11:24:19

10 **A.** 7 here is just another example. Here this is page 13  
11 of Dr. Trende's report in which he says [as read:] After  
12 splitting a precinct, the portion included in 18 had a  
13 BVAP of 50, and the portion excluded had a BVAP of 41.

14 He then gives another analysis on page 6 of the  
15 precinct in 2022. The part that was included in District  
16 18 had a BVAP of 40 percent. The part that was excluded  
17 had a BVAP of only 9 percent.

11:24:35

18 So in each of these examples, yes, you have to split a  
19 VTD at some point. It looks like, by his own data, the  
20 parts that are getting included in District 18 seem to  
21 have a higher Black voting age population.

11:24:53

22 **Q.** And then what are you reporting in paragraph 8?

23 **A.** In paragraph 8 I'm just resuming the fact that  
24 when you split precincts and you go to VTD census bloc  
25 data, you do not have partisan data anymore. So if you

11:25:12

1 don't have any shading turned on at all, you are just  
2 going to be grabbing blocks and putting them in and you  
3 won't see a pattern because you are just trying to get to  
4 that population deviation.

11:25:26 5 At a certain point, the map drawer may not even care  
6 if they hit this neighborhood or that neighborhood. They  
7 are trying to hit a number. I think it was 787,692.

8 In this case, there is consistent evidence just from  
9 Dr. Trende's splits that the higher BVAP is going in 18  
11:25:43 10 and the lower BVAP is going out. So it would suggest --  
11 and he has got images of it -- that there was a pattern  
12 here.

13 **Q.** What is the sort of smallest to largest between block  
14 and block group and VTDs?

11:25:58 15 **A.** Block is the smallest. VTD is the largest. Block  
16 group is exactly what it sounds like, a group of blocks.

17 **Q.** And the census bureau reports data on block, block  
18 groups, or both?

19 **A.** The census data reports race data on block. That's  
11:26:14 20 the lowest level. But they also have block groups. And  
21 then to match the redistricting dataset that comes out  
22 with the decennial census, they will report data on the  
23 VTDs as they exist in that census year.

24 **Q.** And election results that you obtained from TLC, how  
11:26:31 25 are they reported?

1 **A.** Only at the VTD level because the votes are tabulated  
2 at a voting tabulation district, at a VTD.

11:26:50

3 **Q.** Now, I know -- you've given testimony prior in this  
4 proceeding about how CVAP data is created by the American  
5 Community Survey and how it's interpolated, am I using the  
6 right word, to a different geography?

7 **A.** Yes.

11:27:02

8 **Q.** Okay. So why can't we just take the voting results  
9 that the State reports at VTD levels and interpolate them  
10 somehow to block or block groups?

11:27:24

11 **A.** Because you don't know where in that VTD the Democrat  
12 or Republican voters are. You just know that for a VTD as  
13 a whole, it voted, let's say, 64 percent for Trump,  
14 36 percent for Harris. But you don't know if this block  
15 over here was 80 percent for Trump and this block over  
16 here was 80 percent for Harris. You just know for the  
17 entire VTD. You don't know anything about the  
18 sub-characteristics of the census blocks.

11:27:38

19 The only thing you know about the census blocks is the  
20 race and ethnicity of the adults that lived there when  
21 they filled out their census form. You don't know  
22 anything about their political preferences.

11:27:53

23 **Q.** Is the interpolation of election results down to the  
24 block level something that you've seen in academic  
25 literature that's relied upon?

1 **A.** I would say it's fairly rare. VTD is our most  
2 commonly used level of analysis because it's already a  
3 small level of aggregation. For example, in the  
4 ecological inference analysis, we are using VTDs and  
5 that's the standard.

11:28:06

6 **Q.** Now, if the map drawer was using some kind of  
7 interpolation of election results down to the block level,  
8 would we expect to see these racial splits that you have  
9 identified from the Trende data on split precincts or  
10 split block groups?

11:28:27

11 **A.** If they were not looking at race, the map drawer was  
12 not looking at race, it would not be the case that in a  
13 majority of his example there is a higher BVAP in District  
14 18 and a lower BVAP that was excluded. Those are  
15 decisions that are being made by the map drawer. They are  
16 literally clicking on these blocks and saying include,  
17 exclude.

11:28:40

18 **Q.** Yeah. Well, tell us how that works. When you are in  
19 the redistricting software and you are trying to equalize  
20 population, what do you do to get a block group split?

11:28:52

21 How do you draw the line to tell it where to split it?

22 **A.** So it might show up first as VTDs. You might be  
23 drawing most of your map on VTDs and selecting VTDs to  
24 include. But then as you zoom in and you need to split  
25 and go to block, you'll select block. You'll click on a

11:29:10

1 VTD. And once you click on a VTD, you'll see, you know,  
2 10 or 50 blocks that are inside there. If it's a dense  
3 area, there might be as many as 50 different blocks that  
4 are in there. And then you've got to start selecting  
5 those individual blocks to include in your map or to  
6 include in an adjacent district.

11:29:27

7 So the map drawer is making those decisions and  
8 clicking on those, or perhaps drawing a circle around the  
9 blocks they want to include. And the only type of data  
10 they have available to them is race data. They don't have  
11 partisan data when they get down to little individual  
12 neighborhood blocks.

11:29:41

13 **Q.** So unless they turn it off or shield their eyes as  
14 they are picking these individual blocks, what they are  
15 going to see in the commercially available redistricting  
16 software is race data for each of those blocks?

11:29:53

17 **A.** For blocks, race data is regularly available.

18 **Q.** All right. Let's go to paragraph 12.

19 **A.** And those are the maps -- I'll just say, those are the  
20 maps Trende puts in his report. He puts race voting age  
21 population maps in his report.

11:30:06

22 **Q.** Okay. All right. Now I'm on paragraph 12. What is  
23 it you are discussing here?

24 **A.** In paragraph 12 I start to get into a second response  
25 to Dr. Trende where he shows us a lot of maps with either

11:30:20

1 race data or partisan data with just 2333, the new  
2 boundary. What I think is the interesting question is  
3 what was the old boundary and what changes were made. Not  
4 just where are we right now, but what changes were made to  
5 get from 2193, the old map, to 2333.

11:30:41

6 And so here I'm talking about the importance and that  
7 Dr. Trende doesn't have a single map for us where we  
8 overlay both lines and we can see, oh, so this line used  
9 to be over here and then they shifted it over here.

11:30:58

10 In order to understand the decision-making process, we  
11 need to see both; and he doesn't give us that. We do  
12 that, and I include a number of figures, as I see there  
13 Figures 1 through 18 I note, that have both map  
14 boundaries.

11:31:12

15 **Q.** All right. Well, let's go to page 8 -- excuse me --  
16 page 13 of the report and Figure 1. And just generally,  
17 what is this? And we'll go down and look at some  
18 examples.

11:31:25

19 **A.** So there are -- I think, as I said, there are 18  
20 figures here. Here what we have attempted to do is to  
21 show the racial shading, as well as the partisan  
22 boundaries indicated by the red or the blue lines; but the  
23 racial shading is the pink or the green.

11:31:42

24 And then we also have the both old and new boundaries.  
25 We have the C2193 boundaries of the district always in

1 gray, and the C2333 boundaries always in yellow.

2 **Q.** I note here in Figure 1 that you are working from the  
3 2022 Garza/Paxton race. Why did you select that?

4 **A.** Well, this is data that was already in the system. In  
11:32:00 5 fact, it was already in my expert reports. We discussed  
6 maps very similar to this, I believe at length, in the  
7 previous trial here in May for Bexar County. And so this  
8 is data that already existed. The only addition I had to  
9 do was add the new 2333 boundaries that didn't exist back  
11:32:19 10 in May.

11 **Q.** Did we use a drawing like this to discuss HD118 during  
12 your testimony in the May-June trial?

13 **A.** That's right. We discussed like six or seven images  
14 that looked like this that allowed the Court to see both  
11:32:30 15 racial shading and partisan shading at the same time so  
16 that they could see where the two interact.

17 **Q.** All right. So did you also prepare these for the  
18 Trump/Harris race?

19 **A.** Yes. I updated it with the Trump/Harris 2024 data.

11:32:45 20 **Q.** Have you prepared these for each of the three regions  
21 at issue in this case?

22 **A.** Yes. Throughout here in Figures 1 through 18 of this  
23 appendix, we have them for each of the Harris County, DFW,  
24 and the South Bexar County -- South Texas Bexar County  
11:33:00 25 region.

1 Q. Let's go to figure -- whoops -- Figure 14 on page 20.  
2 What are you showing here?

3 A. So here we're back into District 30 in Dallas-Fort  
4 Worth. And you can see two pieces of information. You  
11:33:22 5 can see the racial shading that's indicated by the pink or  
6 the green colors here. Pink density indicates higher  
7 density African American. Green indicates less African  
8 Americans based on the density.

9 So you can see -- you can see both of those pieces of  
11:33:41 10 information in here. So you can see that the yellow  
11 boundary is the new boundary, 2333. The gray boundary is  
12 the old boundary, 2193.

13 Q. All right. Now, let me zoom in here for a minute.  
14 And I note that there are these smaller pieces of  
11:34:00 15 geography. Some of them have blue lines, and some of them  
16 have red lines around them. What is that showing?

17 A. Those are VTDs. Those are each individual voting  
18 district.

19 Q. Why are some blue and some red?

11:34:11 20 A. That shows whether or not it was a Harris VTD or a  
21 Trump VTD.

22 Q. So focusing here on the section to the west of  
23 downtown Dallas where I'm circling with the cursor, I  
24 guess it sort of looks like the little ball they put under  
11:34:28 25 giant ships in the ocean out around here.

1 Do you see that area?

2 **A.** I do.

3 **Q.** What is it that you can observe by being able to look  
4 at the racial shading with the partisanship of each VTD in  
5 that area?

11:34:38

6 **A.** Well, this is an area that has been added in to the  
7 district. And you can see that there are some pink areas  
8 which indicate that there is an African American  
9 population there. And they are all blue outlined VTDs,

11:34:55

10 which suggests that this area had more African Americans  
11 and so it was added into the district. And these are  
12 Democratic areas.

13 **Q.** And what does that tell you?

14 **A.** That tells me that -- and there is more of those and  
15 we can walk through them and see.

11:35:11

16 **Q.** What other ones?

17 **A.** Over to the west into Tarrant County, that area there  
18 that your cursor is around, that is an area that was not  
19 in the old map. And so it has been expanded. The new map  
20 has been expanded for District 30 into Tarrant County  
21 further to pick up a lot of areas that have that pink  
22 shading, which means that they are high density in African  
23 American. There at the top as well, you can go right over  
24 there and you see areas.

11:35:25

25 So a lot of these areas have high density African

11:35:40

1 American populations. And they're also Democrat. And  
2 they were added into the district.

3 And I contrast that with the area in sort of central  
4 downtown Dallas that used to be in CD 30 but has very,  
11:35:59 5 very little pink. It has more often green. Even though  
6 all of those VTDs are outlined in blue. And as we saw on  
7 a previous chart, these are very heavily Democratic  
8 precincts in downtown Dallas. They just don't have a  
9 large Black population.

11:36:15 10 **Q.** All right. Let me go to Figure 18. What is shown  
11 here?

12 **A.** Figure 18, now you are back in the sort of Bexar  
13 County region, San Antonio, that has the higher density  
14 area. And we see a similar thing here, where we see the  
11:36:33 15 yellow line is the line of the new boundaries and the gray  
16 line is the line of the old boundaries. Here, the green  
17 shading, as it says in the index, is high density  
18 Hispanic. And the pink shading is low density Hispanic.

19 And so one of the things that you can notice -- and  
11:36:50 20 this is a zoom out showing the entire district. You can  
21 see that there is a lot of areas that are combined in that  
22 Bexar County/San Antonio area where there is high-density  
23 Hispanic. You can also see that those are all blue.

24 Those are Democratic areas. And they have been combined  
11:37:07 25 with these areas that are pink, which means there is very

1 few Hispanics there. And those areas are more likely to  
2 be outlined in red. And so those are areas that Mr. Trump  
3 won.

4 But if you go back into Bexar County and if you go  
11:37:20 5 back to the plot you were on before that had the zoom, I  
6 think it's below, you can see here in Bexar County that  
7 these Hispanic areas were added. And then in particular,  
8 right around downtown San Antonio, the more urban part of  
9 San Antonio that was added into CD 35, these are very  
11:37:39 10 high-density Hispanic and Democratic areas that were added  
11 into this district, which is a Trump plus 10 district.

12 So they were creating a Trump plus 10 district and  
13 they added a very large number of high-dense Hispanic  
14 Democratic areas and grouped them with, what you can see  
11:37:59 15 just to the right part of your screen, very low-dense  
16 Hispanic areas that are Republican.

17 And the other thing I want to note is just above the  
18 part that was excluded, if you just kind of look -- no.  
19 Stay down where you were, Mr. Dunn. I'm sorry. Right in  
11:38:14 20 that middle part of the chart, there is a part that's  
21 outlined there as well as to the right. It's outlined in  
22 gray.

23 These used to be part of CD 30. And particularly  
24 there, where your cursor is and a little bit to the north,  
11:38:28 25 you see that those areas are all Democratic, if you put

1 your cursor up a tiny bit more, but they are not green.  
2 So there are Democratic areas if they were drawing on  
3 partisanship they could have brought in. These are all  
4 outlined in blue. There are Democratic areas. But  
5 there's very low Hispanic density there.

11:38:43

6 Instead, they got that area to the south, which is  
7 very high Hispanic density and is Democratic, which would  
8 appear to go against the partisan performance objectives.

9 **Q.** Now we, in the May-June trial, had an exhibit on HD118  
10 here in this southeastern corner of Bexar County.

11:39:01

11 Do you recall that?

12 **A.** I do.

13 **Q.** Is CD 9, although not exact, roughly HD118 added to  
14 these rural counties?

11:39:11

15 **A.** It adds a great portion of what was in the new HD118  
16 in that sort of southern part of Bexar County. And then  
17 it adds these additional majority Anglo counties.

18 **Q.** All right. Let's go to Figure 18 -- Figure 22, excuse  
19 me.

11:39:31

20 What are you showing here?

21 **A.** So here we have added one more layer for folks to  
22 consider. This is turnout. And so if you scroll up to  
23 the one right before it, we might be able to see it first  
24 and then come back to this. Yeah.

11:39:46

25 This has a lot of data on it. I understand it's

1 messy. But you can see places that have red dots, that  
2 means you have below average turnout. Places that have  
3 black pluses means you have above average turnout.

11:40:04

4 And so you can see a clustering of red in that area  
5 that is very high-dense Hispanic. And we saw in the  
6 previous chart, very high-dense Democrat. But very low  
7 turnout according to this chart.

11:40:18

8 Now, if you scroll down to the next, you can see it  
9 just with a little more detail. That area just below the  
10 yellow line, still with inside the loop of San Antonio, is  
11 just almost all red dots, which means every single one of  
12 these VTDs has very low turnout.

11:40:37

13 So you have a high Hispanic CVAP, eligible adults. A  
14 lot of Democrats there. But very, very low turnout. So  
15 you are not getting a lot of votes on election day here,  
16 but you are getting a lot of Hispanic citizen adults.

11:40:51

17 If you contrast that to what you see on the right side  
18 of your screen where it's pink, almost every single one of  
19 these VTDs has black pluses in it, which means these are  
20 higher than average turnout VTDs.

21 So they clustered dense Democratic Hispanic areas that  
22 have very low turnout with low dense Hispanic areas, Anglo  
23 areas, that have very high turnout, to create CD 35.

11:41:19

24 **Q.** Okay. Before I leave this, I want to look at one more  
25 here that I just need to locate real quick. I want to

1 take you to Figure 11 in this report, which is on page 18.

2 All right. Here we have the Dallas-Fort Worth area;  
3 is that right?

4 **A.** Yes.

11:41:43

5 **Q.** Now, actually, I'll come back to this. Let's move on  
6 to a different subject and look at paragraph 21 in this  
7 report, which is on page 7. Excuse me. Page 6.

8 What are you discussing here?

11:42:09

9 **A.** Here we are talking about the importance of comparing  
10 these two district boundaries. And in order to be able to  
11 compare -- sorry -- not district boundaries, different  
12 iterations of the map. And one of Dr. Trende's criticisms  
13 was that our maps had what he called low diversity. And  
14 he said they are too similar. You might have only moved  
15 five VTDs and you are calling that a new map.

11:42:32

16 Here in paragraph 21 I looked at the actual maps that  
17 Texas drew. And I give two examples: 2308 and 2331.  
18 These are two predecessors to their final map.

11:42:54

19 In 2308 every single VTD in the entire state is  
20 exactly the same as 2331, except they moved one precinct  
21 or set of blocks in El Paso to move Fort Bliss. So those  
22 are two separate maps submitted that have a diversity  
23 score of basically zero. They are the exact same map.

11:43:17

24 I show a second example of that in 2331 and 2333, in  
25 which almost all the boundaries are the same, but they

1 just moved a couple of VTDs around.

2 So my point is that in the real world when you are  
3 drawing maps sometimes you have two versions of maps that  
4 are quite similar and you just made one change over here.

11:43:29

5 **Q.** Like 2331 to 2333, right?

6 **A.** Exactly. Or 2308 to 2331, where all they did was take  
7 Fort Bliss out. Those are two separate maps. So it's not  
8 unusual that the ensemble would also find two maps that  
9 are somewhat similar.

11:43:43

10 **Q.** So is this another reason why you don't set a seed?

11 **A.** You don't set a seed because you want it to always be  
12 random and you don't want to always get the exact same map  
13 every single time.

11:43:56

14 **Q.** And if there was a circumstance where you ran it and  
15 you happened to get a bunch of maps that weren't  
16 representative of the sample, when you run it again  
17 without a set.seed you would --

18 **A.** You would get the exact same thing. It would  
19 completely stunt your ability for randomness or diversity.

11:44:10

20 **Q.** Now let's go to paragraphs 28 and 30, which begin on  
21 page 8 of this report. What are you discussing at 28?

22 **A.** 28 is where we get into our direct rebuttal to  
23 Dr. Trende's criticisms of our map. So at this point he  
24 has taken our code. He has replicated our maps. He

11:44:31

25 doesn't report any problems with the replication. He in

1 fact is able to replicate them and then critique them,  
2 which is what I would have expected.

3 And so his first big critique is this one we have been  
4 talking about related to plan diversity, map diversity.

11:44:50

5 And here we go through and outline why this is not  
6 applicable to the maps that we're drawing and why the  
7 diversity issue is one that is really bound by the  
8 constraints of the state of Texas.

11:45:06

9 If we had no constraints at all and someone just said  
10 draw maps in Texas, you would achieve high diversity  
11 because you might draw, as we did in our first report,  
12 only 24 Trump districts. That's the natural draw, totally  
13 partisan blind, is to draw 24 Trump districts in Texas.  
14 Not 30.

11:45:21

15 But then you start adding constraints to the program.  
16 You tell the program no, no, no, we want 30 Trump  
17 districts and we only want to split this many counties and  
18 we only want to have this many splits.

19 **Q.** We want this Trump election number, right?

11:45:33

20 **A.** We have to achieve 55 or greater. So those are  
21 constraints that you are typing into the program.

11:45:46

22 That's limiting the ability of the program to find  
23 lots of diverse outcomes. And so we're explaining that  
24 the reason that, in some of these instances, there are low  
25 map diversity is because of those constraints imposed on

1 us because we're trying to match what the State of Texas  
2 is doing.

11:46:00

3 **Q.** So when you tell the software to draw the simulations,  
4 at first it creates a huge number. And then as you add  
5 the parameters to it, it gets to a smaller number?

6 **A.** Correct.

7 **Q.** But in the environment are all of those simulations  
8 sitting there while you are doing this analysis?

11:46:11

9 **A.** Yes. And then we eliminate those maps that didn't  
10 draw 30 Trump districts, for example, and then just focus  
11 our analysis on the ones that did draw 30 Trump districts.

12 **Q.** So however many million or whatever you have  
13 ultimately works down as you add these parameters?

14 **A.** That's correct.

11:46:22

15 **Q.** All right. Then let's go to Figure 25. An example of  
16 check everything twice. I didn't put the page number.

17 All right. What are you showing here in Figure 25?

11:47:08

18 **A.** So in Figure 25, to engage Dr. Trende on his critique  
19 about only assessing unique plans and more diverse plans,  
20 we further eliminated all the plans in our map that were  
21 duplicates or identical or extremely similar. So we're  
22 now only looking at the remaining maps that our  
23 simulations drew which are unique.

24 **Q.** How do you do that?

11:47:28

25 **A.** Well, you can go through in the R code, and we

1 provided this in the rebuttal in Appendix C, to show how  
2 we went through, and tell it find the maps that are  
3 identical to each other or duplicates and set those apart  
4 and now only draw me histograms and plots of the unique  
5 maps. So in here every map is unique.

11:47:45

6 **Q.** Do you give it some percentage? I mean, is it  
7 one-for-one unique or 99 percent unique or how is that?

8 **A.** Here I think we removed anything that appeared to be  
9 identical or a duplicate.

11:47:58

10 **Q.** What is shown in 25?

11 **A.** So 25 is a replication of Figure S1. We previously  
12 looked at another chart that included all of the maps,  
13 including some that might have been too similar or had low  
14 diversity. This is just a replication of that when we  
15 only have unique maps, and you see the exact same trend  
16 here in the Harris County region. No probability of a  
17 majority Black district when looking at the unique map  
18 simulations.

11:48:14

19 **Q.** All right. Then let's look at Figure 26.

11:48:28

20 **A.** 26 is a replication of S2 that we previously talked  
21 about here, looking at the DFW region and looking at the  
22 3033 area. And it shows that, again, it's not possible to  
23 draw a district that's majority Black CVAP when only  
24 looking at the unique plans. It doesn't come out.

11:48:49

25 **Q.** And to be clear, you're -- in these simulations, you

1 are again limiting your geography to just the area that  
2 the State used to draw those three districts in this  
3 example?

4 **A.** That's correct.

11:48:59 5 **Q.** Then let's look at 27. What is shown here?

6 **A.** 27 is a replication of S3. That was the Harris County  
7 region where there are seven Trump districts plus 10. And  
8 what is the probability of getting one majority Hispanic  
9 CVAP district. And, again, we're subsetting the only

11:49:18 10 unique plans, and we don't ever get an outcome where there  
11 is a majority Hispanic district.

12 **Q.** And then 29?

13 **A.** 29 is the South Central Texas area centered around  
14 Bexar County. Again, it looks very, very similar to what  
15 was Figure S5 in the original, only subsetting to unique  
16 plans. We see, yes, you should get one majority Hispanic  
17 district that's presumably CD 23, but not two.

11:49:36

18 **Q.** And 30?

19 **A.** 30 is the replication of the original report. So we  
20 replicated everything using Dr. Trende's sense of only  
21 looking at unique plans and having higher diversity. So  
22 this is a county-based simulation we did in the first  
23 report, saying will you cross the 50 percent threshold if  
24 you try to draw a Trump district in this Bexar, Guadalupe,

11:49:55

11:50:17

25 Wilson, Karnes region. And the answer is no.

1 Q. And in this particular example, on each of these  
2 however many thousand simulations, do any of them even get  
3 close to 50 percent?

11:50:33

4 A. No. There is nothing above 47, it looks like, in this  
5 map. And the median, again, the average is down there  
6 where there is a higher density of green below 40 percent.

7 Q. And then in Figure 32, what is shown?

11:50:50

8 A. Figure 32 is a replication of, again, report one, the  
9 August report, of whether or not you could draw a majority  
10 Hispanic CVAP district that Trump carries in the Harris,  
11 Liberty, and Fort Bend County regions. And, again, the  
12 answer is, no, it does not cross 50.

13 Q. And then finally, Figure 33 looks a bit different.  
14 What are you doing here?

11:51:03

15 A. Figure 33 is a replication of Figure S10 in the  
16 original report. This is the one where we draw 30 Trump  
17 districts. One of Trende's criticisms was that we had to  
18 lower the threshold to 53. We didn't actually draw it at  
19 55. And so what we wanted to do to assess how close we  
20 were to the reality was we plotted in pink the actual vote  
21 that President Trump got in each of the districts. And  
22 the districts in question are really those first three in  
23 numbers 9, 10, and 11.

11:51:22

24 Q. What does this show comparing those two things?

11:51:38

25 A. Where your cursor is, yeah.

1 So the maroon with the black dot, that's our  
2 simulation. And what you can see is there is a pink dot  
3 just barely above it. And so it shows the simulations.  
4 When we lowered it to 53, we are still extremely close to  
5 what the reality was on the ground. And those three pink  
6 dots are just right there on the tail of the distribution,  
7 showing that we weren't far off. As I said before, even  
8 the State's three districts were below 55. They were like  
9 54.7.

11:51:51

10 **Q.** So does this tell you whether or not the software was  
11 able to recreate plans that matched the political  
12 performance of C2333?

11:52:05

13 **A.** Yes. This indicates that it was a very close match.  
14 It was able to take the constraints put in by the State  
15 and use those to assess the partisan but also the racial  
16 implications.

11:52:22

17 **Q.** And these plans that the system created that matched  
18 the State's political performance, did they have the  
19 racial effects that we have described about C2333 here  
20 today?

11:52:35

21 **A.** In the simulations we did, we always found -- well,  
22 first, we found two things. Zero majority Black districts  
23 were drawn. That had to be purposefully done. There is  
24 not a single simulation that results in a majority Black  
25 district.

11:52:48

1 Secondly, out of the Trump districts, there is only  
2 four majority Hispanic districts and the State has drawn  
3 more than that. No simulation ever achieves more than  
4 four majority Hispanic Trump districts.

11:53:00

5 **Q.** So what does that tell you about C2333?

6 **A.** That even when holding the partisan objectives  
7 constant, there were racial objectives in there. And that  
8 was to create those two Black majority districts. The  
9 simulations would not have done that. Those weren't for  
10 partisan reason, because the partisanship of those Black  
11 districts is basically the same in the old map and the new  
12 map. The only thing that's changed is now they are  
13 suddenly majority Black.

11:53:15

14 **Q.** In other words, President Trump could have gotten his  
15 five more Republican districts without the racial effects  
16 that are in C2333?

11:53:26

17 **A.** Correct. Absolutely.

18 **Q.** Professor Barreto, based on this analysis and your  
19 experience as a testifying expert in four scores of cases  
20 and more, what is your opinion -- or do you have an  
21 opinion on whether or not race was a factor to the map  
22 drawer with respect to Plan C2333?

11:53:41

23 **A.** Yes, I do.

24 **Q.** What is that opinion?

11:53:50

25 **A.** My opinion is that while there were partisan

1 objectives, they achieved those partisan objectives by  
2 focusing on race. Whether it is in the CD 9 District of  
3 Baytown, or whether it is in the creation of majority  
4 Black districts in Dallas and Houston, or the majority  
11:54:07 5 Hispanic district in Bexar County CD 35, there were clear  
6 objectives to try to hit specific Hispanic numbers that  
7 cannot be explained by partisanship.

8 MR. DUNN: I pass the witness.

9 You want to give me a second?

11:54:25 10 MR. KERCHER: Sure.

11 JUDGE GUADERRAMA: Mr. Kercher.

12 MR. KERCHER: Mr. Dunn asked for a moment to set  
13 things up; and I need a moment as well, Your Honor.

14 JUDGE GUADERRAMA: All right.

11:55:11 15 MR. DUNN: May I stand on that side of the room?

16 JUDGE GUADERRAMA: Yes, sir.

17 MR. KERCHER: May it please the Court?

18 JUDGE GUADERRAMA: Yes, sir.

19 **CROSS-EXAMINATION**

11:55:29 20 BY MR. KERCHER:

21 **Q.** Dr. Barreto, we have to stop meeting like this.

22 **A.** Nice to see you, Ryan.

23 **Q.** Likewise.

24 Early in your direct examination this morning you  
11:55:50 25 talked about studying under the tutelage of Dr. Grofman;

1 is that right?

2 **A.** Yes.

3 **Q.** A famous *Gingles* expert, right?

4 **A.** Correct.

11:56:01 5 **Q.** An important part of your training, I imagine?

6 **A.** Sure. Yes.

7 **Q.** Sometimes, though, mentors and students grow apart.

8 Fair to say?

9 **A.** Yeah.

11:56:11 10 **Q.** But you've still got Dr. Grofman's name prominently  
11 displayed on your CV, true?

12 **A.** I think that's been there since I was a grad student.  
13 I haven't really changed that part of my CV. I don't know  
14 if it's prominent, but it's definitely on there.

11:56:28 15 **Q.** Are you a full professor, sir?

16 **A.** I'm a full professor.

17 **Q.** Did you take Dr. Grofman's name off of your CV when  
18 you were hoping to become a full professor?

11:56:41 19 **A.** I have not changed the top half of my CV. I think  
20 some people do that. I have just added to my CV at the  
21 bottom. It's getting longer as I get older.

22 **Q.** Mine stays the same length.

23 Now, some of your reports make reference to what we  
24 have been calling in this hearing the Dhillon letter.

11:57:00 25 Are you familiar with that?

1 **A.** I would assume that's the DOJ letter.

2 **Q.** We're talking about the same thing. Yes, sir.

3 **A.** Okay. Yes.

11:57:12

4 **Q.** Were you provided that by counsel as part of the work  
5 that you did for this hearing?

6 **A.** I probably found it myself originally, like when it  
7 was newsworthy. But, yes, I think that it was -- might  
8 have been something counsel has provided.

11:57:24

9 **Q.** That's not something for which you performed any  
10 calculations or analysis. That's just something that you  
11 read and were aware of as you did your work in this case,  
12 true?

13 **A.** That's true.

11:57:32

14 **Q.** And that's what social scientists call, I think,  
15 contextual evidence. Do I have that right?

16 **A.** Sure.

17 **Q.** Or political scientists. I don't know if political  
18 science falls into social sciences now. I don't want to  
19 put you in the wrong group.

11:57:44

20 **A.** Yeah. You've got it right.

21 **Q.** Okay. Another piece of contextual evidence that you  
22 might have put into your report are -- is perhaps the  
23 history of the ratification of the United States  
24 Constitution.

11:57:59

25 Are you familiar with that?

1 **A.** I am aware of it, yes. I don't have it memorized.

2 **Q.** Neither do I.

3 Something that I remember, and I wonder whether this  
4 is something that you studied or taught, is that  
5 immediately following the constitutional convention, the  
6 Federalists in the Pennsylvania legislature were hoping to  
7 ratify quickly.

11:58:12

8 Do you remember this?

9 **A.** Yes.

11:58:24

10 **Q.** Do you remember that the anti-Federalists in the  
11 Pennsylvania legislature in 1787 broke quorum to prevent  
12 ratification of the Constitution?

13 **A.** That sounds right.

14 **Q.** And do you remember -- and I love the historic  
15 phraseology here -- that the Pennsylvania Federalists got  
16 a group of gentlemen volunteers to find two of the  
17 anti-Federalists in a local pub and they dragged them back  
18 to re-establish quorum.

11:58:40

19 Do you remember that story?

11:58:58

20 **A.** I have heard a story like that, yeah.

21 **Q.** You also talked a little bit about what you called I  
22 think a shift in Hispanic voting that's evidenced, at  
23 least in part, by the way Hispanics voted in Texas in  
24 2024, right?

11:59:17

25 **A.** Yes.

1 Q. And you talked about how, in many cases, President  
2 Trump won the Hispanic vote sort of at the top line; but  
3 perhaps Congressman Allred won the Senate vote on that  
4 same ballot in multiple counties, right?

11:59:34

5 A. I think the way I characterized it was that a majority  
6 of Hispanics in the districts I analyzed still voted for  
7 Vice President Harris; but that President Trump  
8 outperformed Senator Cruz, which would also mean that  
9 Congressman Allred outperformed Vice President Harris.

11:59:54

10 Q. And I think you said something like Hispanics even in  
11 2024 were voting cohesively at something like 60 percent  
12 for Democrats.

13 Do I have that right?

14 A. I would say that's about right in Texas.

12:00:05

15 Q. And when you say that's a shift, that means ordinarily  
16 Hispanics are expected -- would have been expected to vote  
17 more cohesively, that is greater than 60 percent for  
18 Democrats, true?

12:00:18

19 A. In previous elections in my data I think I document  
20 that sometimes as high as 75.

12:00:35

21 Q. And so when you talk about a shift in Hispanic voting,  
22 evidenced by the 2024 election, you are talking about a  
23 shift in Hispanic voting in that election from Democrats  
24 towards Republicans, not to a Republican majority, but  
25 simply a shift from left towards the right. Is that fair?

1 **A.** The way I've characterize it is that it's mostly a  
2 shift towards President Trump. And that, as we saw in  
3 that same election, not just Senator Cruz but other  
4 Republican candidates for congress or other office were  
5 not able to match the same numbers.

12:00:55

6 And so the most prominent shift was really a shift  
7 centered around President Trump and able to attract  
8 Hispanic votes. But, yes, there was a shift in that  
9 direction, even as majority still stayed with Vice  
10 President Harris.

12:01:09

11 **Q.** And as a part of some of the contextual evidence that  
12 you analyzed for this hearing, you are generally aware of  
13 the story where President Trump says to the media that he  
14 hopes to get five more congressional seats out of the  
15 state of Texas.

12:01:22

16 You read about that surely, right?

17 **A.** Yes.

18 **Q.** You said you are familiar with the DOJ letter that was  
19 sent to Texas telling them that they needed to redistrict,  
20 right?

12:01:31

21 **A.** Yes.

22 **Q.** Then the Texas Legislature, predominantly Republican,  
23 did in fact redistrict, right?

24 **A.** Correct.

12:01:37

25 **Q.** So in the analysis that you are doing here, help me

1 understand the theory. Is the idea that President Trump  
2 said to Texas, I want five more Republican districts and  
3 Texas Republicans then said, aha, finally, an opportunity  
4 to dilute the vote of a group who has been moving towards  
5 our party for the past several elections? Is that the  
6 theory?

12:02:05

7 **A.** That's not the theory.

8 **Q.** In forming the opinions you have expressed today, it  
9 goes without saying, you did not interview members of the  
10 legislature. That's not part of your process, right?

12:02:28

11 **A.** Correct.

12 **Q.** You also did not speak with whoever it was who drew  
13 the map, right?

14 **A.** I did not.

12:02:37

15 **Q.** You did, though, on direct mention Adam Kincaid,  
16 right?

17 **A.** Yes.

18 **Q.** Have you ever met the man?

19 **A.** I have not.

12:02:55

20 **Q.** It sounded like from your direct testimony you have  
21 reviewed Mr. Kincaid's deposition for the earlier  
22 iteration of this litigation; is that right?

23 **A.** Yes.

24 **Q.** All 14 hours of it?

12:03:08

25 **A.** I don't think all 14 hours of it. But I have a text

1 file, and I don't know if there is a video file. But I  
2 have a text file that I have read at different points.

12:03:23

3 **Q.** And did you review that deposition testimony just for  
4 this hearing or had you done that in preparation for trial  
5 as well?

6 **A.** I think I might have seen it before the May trial as  
7 well. I know that, as I was thinking about these specific  
8 constraints that we put in, that I did review that because  
9 I had remembered seeing that 10 percent margin somewhere.

12:03:44

10 **Q.** And why did that matter to you, sir?

11 **A.** Well, we wanted to match the constraints that the  
12 State was using in drawing their districts. And so we  
13 wanted to try to get as close to what they were doing when  
14 we wrote our simulations.

12:03:58

15 **Q.** Well, when you say you wanted to match the State's  
16 constraints, you are telling us that you reviewed a  
17 deposition about constraints used in 2021, right?

18 **A.** Correct.

12:04:14

19 **Q.** And you don't know whether whoever drew the map -- and  
20 I'll represent to you I think the testimony has shown that  
21 it's Mr. Kincaid, but the map -- you don't know whether  
22 Mr. Kincaid had the same constraints or the same goals in  
23 2021 as he might have in 2025, right?

12:04:30

24 **A.** I don't know his goals, but I do have a 2025 map. So  
25 based on --

1 Q. Let's talk about that.

2 A. Yeah. Based on his testimony and then the fact that  
3 in the map there are Trump plus 10 districts, I think  
4 that's what guided us.

12:04:39 5 Q. Something that came up during trial was -- and I can't  
6 remember if this was you or Dr. Duchin, let me know -- was  
7 that there was a critique of the State's defense of the  
8 '21 map as being a partisan draw. And that critique was,  
9 if this were a partisan draw in 2021, the State would not  
10 have left so much partisanship on the table.

11 Was that your critique?

12 A. I don't recall that.

13 Q. We agree, though, that the political makeup of the  
14 2021 map was designed to create Republican partisan  
15 strongholds rather than to maximize the number of  
16 Republican partisan opportunity districts, right?

17 A. I don't agree with that.

18 Q. I think you said that you reviewed parts of  
19 Mr. Kincaid's testimony. Is it fair to say you didn't  
12:05:39 20 read the whole thing? I wouldn't blame you.

21 A. I think it's fair to say I didn't read all 14 hours.

22 Q. Who decided which parts you would read?

23 A. Me. At some point I probably got bored.

24 Q. I cannot imagine being bored with redistricting  
12:05:57 25 material. Let's --

1 MR. KERCHER: Richard, if we could go to Brooks  
2 Exhibit 283, page 23.

3 MR. DUNN: Mr. Kercher, I'm going to move this  
4 until you use it.

12:06:20 5 MR. KERCHER: Yeah. Sure. I apologize for that.

6 BY MR. KERCHER:

7 Q. Can you see that okay, Dr. Barreto?

8 A. Yes.

9 Q. This is Figure S20 from your September supplemental  
10 report.

11 Do I have that right?

12 A. Correct.

13 Q. And we looked at this and several other iterations of  
14 this as you were discussing some of the redraw that  
15 happened in the 2025 mapping process around Harris County;  
16 is that right?

17 A. Yes.

18 Q. And you talked about the Baytown area, where one of my  
19 brothers lives, right?

12:07:00 20 A. Yes, I did.

21 Q. But when you talked about the way that you thought the  
22 map drawer probably went through this process, you did not  
23 talk about the partisan effects of these changes on CD 2,  
24 which is adjacent to this area, right?

12:07:17 25 A. Correct.

1 Q. You agree with me that CD 2 wound up being Trump plus  
2 60.8 in the final enacted map in 2025?

3 A. I don't have it in front of me; but if that's the  
4 number, then I won't dispute it.

12:07:34

5 MR. KERCHER: Richard, can we please bring up  
6 State Defendants' Exhibit 984.

7 BY MR. KERCHER:

8 Q. Dr. Barreto, you recognize this as a red report,  
9 right?

12:07:53

10 A. Yes. I have seen many of these.

11 Q. Same.

12 And this is for Plan C2333, which is the enacted map,  
13 right?

14 A. Correct.

12:08:02

15 Q. And you can see that the table on State Defendants'  
16 Exhibit 984, it shows -- that's not what I was looking  
17 for. We'll come back to it.

18 I'm told that we should just go to the correct page.  
19 There we are.

12:08:25

20 MR. KERCHER: Thank you everyone else in the  
21 room.

22 BY MR. KERCHER:

23 Q. If we go to -- if we look at President Trump's  
24 performance in CD 2, which is the second line, you can see

12:08:36

25 there that in the enacted CD 2 from 2025, President Trump

1 would have won by 60.8 percent, right?

2 **A.** I see that.

3 MR. KERCHER: Thank you, Richard.

4 BY MR. KERCHER:

12:08:51 5 **Q.** You also talked about, as you were getting ready for  
6 this hearing, the time constraints necessary or the time  
7 constraints on the work that you were doing, right?

8 **A.** Yes.

9 **Q.** And it takes some time to run simulations, right?

12:09:02 10 **A.** Correct.

11 **Q.** Now, when we talked to Dr. Duchin about running  
12 simulations at trial, I think I asked her how long it  
13 takes to run 100,000 simulations and she said about an  
14 hour.

12:09:15 15 Is that the same for your simulations?

16 **A.** I don't know what program she uses.

17 **Q.** Well, my question is: Is that the same for your  
18 simulations?

19 **A.** Some could be done. It depends on a huge number of  
12:09:24 20 factors. Some could be done in an hour, depending on the  
21 number of ensembles and constraints. Some, I think the  
22 longest we have had, take as many as 48 hours.

23 **Q.** So when you say -- when you said on direct that it can  
24 take 48 hours to run these maps, that's not how long it  
12:09:44 25 typically takes. That's the outer limit. Fair to say?

1 **A.** That's the outer limit I have encountered for doing  
2 statewide, trying to draw 38 districts with the  
3 constraints in Texas.

12:09:56

4 **Q.** When was the first time that you ran a simulation  
5 based on any redistricting effort in Texas in 2025?

6 **A.** In 2025?

7 **Q.** Yes.

8 **A.** For this litigation.

9 **Q.** When?

12:10:06

10 **A.** August.

11 **Q.** Did you attempt to begin running simulations when a  
12 proposed map was first introduced in the Texas Legislature  
13 back in July?

14 **A.** No.

12:10:23

15 **Q.** Do you know that at one point the plaintiffs in this  
16 case were asking the Court to hold this hearing in as few  
17 as 48 hours?

18 **A.** I am not aware of that at all.

12:10:40

19 **Q.** If it takes 48 hours to run simulations, that would  
20 have given Dr. Trende precious little time to prepare his  
21 simulations, much less review yours. Fair to say?

22 **A.** 100 percent agree.

12:10:57

23 **Q.** As it worked out, from the time we had between when  
24 the plaintiffs filed preliminary injunction motions and  
25 this hearing, you were able to produce not one, not two,

1 but three reports, right?

2 **A.** That's correct.

3 **Q.** Your first report for this hearing, your August  
4 report, repeats -- it's not exactly the same, but you  
12:11:12 5 again take up an RPV analysis, as you did and as we  
6 discussed at trial, right?

7 **A.** In the May trial, yes.

8 **Q.** Now, I'm going to try to be consistent about referring  
9 to this as "the hearing" and about what we did in May and  
10 June as "the trial." If I mess that up, let me know.

11 Okay?

12 **A.** Okay. Thank you.

13 **Q.** Something you pointed out, as you were talking through  
14 that portion of your analysis, is that the Texas  
12:11:43 15 Legislature in the 2025 enacted map drew a 50.4 percent  
16 HCVAP district in Bexar County.

17 Do you remember that?

18 **A.** Yes.

19 **Q.** You also testified, and we talked about this just a  
12:11:58 20 minute ago, that your analysis showed that in 2024  
21 Hispanics were voting at something like 60 percent  
22 cohesion for Democrats in the state, right?

23 **A.** Sometimes higher, like the Cruz/Allred. But sometimes  
24 slightly lower. So I think I used that 60 percent as an  
12:12:15 25 average.

1 **Q.** Sir, I'm not asking for precise numbers here; but if  
2 we take that general understanding of a 60 percent  
3 cohesion for Democrats among Hispanic voters in '24, and  
4 you have a 50 percent Hispanic district in Bexar County,  
12:12:27 5 then that means something like 30 percent of the voters in  
6 Bexar County will be Democrat. Not exclusively 30, but  
7 you'll have probably close to 30, something like that,  
8 right?

9 **A.** If you have a district that's 50 percent Hispanic  
12:12:43 10 among the voters -- which is different from CVAP, as we  
11 established. Let's assume it's the actual election day  
12 voters -- and 60 percent of them vote Democrat, now the  
13 Democrat is up to 30 points.

14 **Q.** By themselves probably not enough to elect a candidate  
12:13:02 15 of their choice, right?

16 **A.** It would entirely depend on those other 50 percent of  
17 the voters that you put in this Hispanic district.

18 **Q.** But if you had this 50 percent Hispanic district and  
19 the Hispanic voters were voting with a higher level of  
12:13:15 20 cohesion, like 80 or 90 percent, they would have a better  
21 chance of electing a candidate of their choice in a  
22 50 percent district. Fair to say?

23 **A.** If the district was only 50 percent exactly or 50.4  
24 among the actual voters, yes, as the cohesion goes up, you  
12:13:33 25 would have a better chance; but you would still never be

1 able to elect your candidate of choice without crossover  
2 vote if you were only 50 percent.

3 **Q.** Well, we talked at length, at exhaustive length in  
4 trial about your RPV analysis, right?

12:13:49 5 **A.** That was my recollection, yes. It was exhaustive.

6 **Q.** I remember it the same way.

7 In fact, you and Mr. Thompson, my colleague here,  
8 spoke about your RPV analysis at the 2022 preliminary  
9 injunction hearing, you may recall.

12:14:02 10 **A.** I do.

11 **Q.** He says hello.

12 **A.** Yes. Hello.

13 **Q.** And, of course, you have not since then changed your  
14 position on your RPV analysis when we discussed my  
15 critiques of it since trial. Fair?

12:14:10 16 **A.** My RPV analysis has remained constant, and my  
17 interpretation has remained constant.

18 **Q.** And do you remember one of the big points that was  
19 discussed at the last preliminary injunction hearing in  
20 2022 is about the importance of primary elections for RPV  
21 analysis to help disaggregate whether different sets of  
22 minorities preferred different candidates within the same  
23 party, right?

12:14:24 24 **A.** I remember that discussion mostly I think in the '22  
12:14:43 25 trial in the PI.

1 Q. And you have not changed your position on that, right?

2 A. No.

3 Q. Have you had an opportunity to review a transcript of  
4 Dr. Ansolabehere's testimony in this hearing?

12:15:00 5 A. No. I think that was just a few days ago. I know he  
6 testified, but I have not seen the transcripts of anything  
7 yet in this case.

8 Q. Are you aware that he testified that the use of  
9 primaries to disaggregate whether minorities prefer  
10 different candidates within the same party is not well  
11 developed?

12 A. I am not aware that he said that.

13 Q. Do you agree with that?

14 A. I don't know. I would have to go consult the  
15 literature and assess it.

16 Q. You said on direct examination -- and I don't want to  
17 pick on you, but I think it's important to be precise  
18 here. You said that Dr. Alford in the trial agreed with  
19 your data.

12:15:47 20 My memory is -- and tell me if we're saying something  
21 different -- that Dr. Alford took your analysis sort of as  
22 a given and then critiqued it anyway. He said even if  
23 Dr. Barreto is right. He didn't express a position on  
24 whether you are right, but said even if Dr. Barreto is  
12:16:06 25 right on these numbers, his analysis still doesn't say

1 what he says that it says; isn't that correct?

2 **A.** That might be. We would have to look at the language  
3 he used in his report. Sometimes he says things like  
4 that. Sometimes he actually says I don't disagree with  
5 these results, I just think they are the result of  
6 partisanship.

12:16:18

7 **Q.** And you are aware that there are no VRA claims at  
8 issue in this hearing?

9 **A.** That's my understanding.

12:16:30

10 **Q.** Now, during trial you testified that you were not able  
11 to disaggregate racial data from partisan data using an  
12 RPV model, right?

13 **A.** I remember that.

14 **Q.** And you stand by that testimony? Your opinion hasn't  
15 changed there?

12:16:44

16 **A.** My opinion is that in the EI model -- I don't remember  
17 exactly what I said. I stand by my testimony. But in an  
18 EI model, it should be bivariate and you should just use  
19 race as the input, which is the established norm in social  
20 science.

12:17:01

21 **Q.** You said, though, that there was -- you said in that  
22 trial, I think I heard you reference it again today on  
23 direct, that there is literature demonstrating that race  
24 causes partisanship affiliation.

12:17:21

25 Am I putting that too broadly?

1 **A.** I would say predicts or is associated with  
2 partisanship. But, yes, there is -- and I did talk about  
3 it in the trial, and it is in one of my reports, that  
4 there is a long history of literature showing that racial  
5 attitudes and racial characteristics influence people's  
6 partisanship and predict their partisanship.

12:17:38

7 **Q.** We talked a little bit about that literature at trial.  
8 But by way of example, some of the literature that you are  
9 talking about would be "Beyond the Trump Presidency: The  
10 Racial Underpinnings of White Americans'  
11 Anti-Democratic Beliefs" by Ferrer and Palmisano; is that  
12 right?

12:17:51

13 **A.** That might be. I can't remember if I cited that in my  
14 literature review or not, but...

12:18:08

15 **Q.** What about "Are guns the new dog whistle? Gun  
16 control, racial resentment, and vote choice," by Schutten,  
17 Pickett, et al?

18 **A.** I don't think I am familiar with that specific piece.  
19 But there is a lot. There is a lot in this domain that  
20 looks at social issues, race, and partisanship.

12:18:24

21 **Q.** One last one, see if you are familiar with this one.  
22 How about "A History of the New Racisms," published in the  
23 "The Cambridge Handbook of Implicit Bias and Racism."

24 Have you read that one?

12:18:37

25 **A.** I don't think so. Who is the author --

1 Q. Can we agree -- what?

2 A. Who was author? Who was the author of the last one or  
3 you just have the title?

4 Q. I'm sorry. I didn't write that one down.

12:18:44

5 A. All right.

6 Q. Are there a lot more authors who publish in the "The  
7 Cambridge Handbook of Implicit Bias and Racism"?

8 A. It probably has 18 pieces in it. And probably comes  
9 out every few years.

12:19:02

10 Q. Something I think we can agree on -- and this would be  
11 a rare light moment for us, I think, Dr. Barreto -- is  
12 race and party are correlated in Texas to some degree,  
13 true?

14 A. Yes.

12:19:12

15 Q. You know that the Supreme Court has said -- I'm not  
16 trying to make a lawyer out of you, but you've testified a  
17 lot. You know the Supreme Court has said that when race  
18 and party are correlated, the Court expects partisan  
19 gerrymanders to resemble racial gerrymanders, right?

12:19:26

20 A. I don't have a lot of Supreme Court opinions  
21 memorized; but if you are quoting it word for word, I have  
22 no reason to object.

23 Q. Well, again, you have spent more years in school than  
24 I have. I'm not trying to give you a law degree.

12:19:41

25 But you do know generally it will be the plaintiffs'

1 burden in this hearing to disaggregate race from  
2 partisanship, right?

3 **A.** I don't want to get into any of the legal standards.  
4 I've provided a bunch of social science data. I believe  
12:19:54 5 it's up to all of these smart lawyers at the table over  
6 here to try to convince the Judges of the legal arguments.  
7 But I don't know exactly what the standards are and what  
8 needs to be met.

9 **Q.** For this hearing you have conducted an intent  
10 analysis, right?

11 **A.** Yes.

12 **Q.** Sort of taken a page from Dr. Duchin and what she did  
13 in trial, right?

14 **A.** I don't believe I saw her testimony. I don't know  
12:20:32 15 what she did.

16 **Q.** Well, you know that she works with a map drawing  
17 robot, right?

18 **A.** I don't know that.

19 **Q.** You worked with a map drawing robot in this case,  
12:20:41 20 right?

21 **A.** I remember you stated that, but I don't think that's  
22 how we described it.

23 **Q.** Have you ever used a map drawing robot before?

24 **A.** By "robot" do you mean computer simulation?

12:20:55 25 **Q.** I think robot is probably shorter. Yes.

1 **A.** I'm familiar with the redist package. It's a quite  
2 popular package. As I said on direct, it's one that I  
3 have used in teaching and for a lot of analysis.

4 **Q.** That's not my question.

12:21:12

5 I'm asking you whether you have used it to draw map  
6 simulations.

7 **A.** Yes. I have used it to draw map simulations. I use  
8 it in class. When I use it in class, we draw map  
9 simulations and show people how to use it. I've used it  
10 in other reports and talks.

12:21:25

11 **Q.** And reports in litigation?

12 **A.** I think this is the first litigation that the  
13 questions that I was answering were appropriate for the  
14 simulations.

12:21:38

15 **Q.** And you remember why I'm asking you, right, whether  
16 you have used this kind of methodology to do an intent  
17 analysis, right?

18 **A.** I don't know why you are asking me. I hope to find  
19 out soon.

12:21:53

20 **Q.** You recall that I took your deposition earlier this  
21 year in preparation for trial, right?

22 **A.** Yes.

23 **Q.** And one of the questions that I asked you in that  
24 deposition was whether you had ever conducted an intent  
25 analysis. And you said, yes, I have.

12:22:05

1 Do you remember that?

2 **A.** Yes. I remember this.

3 **Q.** And then I asked you, okay, can you give me a sense,  
4 not an exhaustive list, but can you tell me what kinds of  
5 methodologies you have used when conducting an intent  
6 analysis?

12:22:15

7 Do you remember that?

8 **A.** Yes.

9 **Q.** And do you remember that at your deposition you  
10 refused to give me even a single methodology you had ever  
11 used in an intent analysis?

12:22:22

12 **A.** I wouldn't put it that way. I believe I said it  
13 depends entirely on the questions being asked, which is  
14 consistent with the testimony I just gave. So depending  
15 on the questions that are before you, you'll use different  
16 methodologies and tools to try to prove something up.

12:22:38

17 **Q.** And I remember this part because I want to know what  
18 kinds of methodologies you have used. The question you  
19 are answering is there are different kinds and which one I  
20 might use depends.

12:23:01

21 **A.** Okay.

22 **Q.** I asked you at your deposition, right, which  
23 methodologies you had used, right?

24 **A.** I don't remember. You can pull it up and show me. I  
25 remember we talked about this for like six or seven

12:23:14

1 minutes. I wasn't doing an intent analysis at that point  
2 in the trial, so I wasn't prepared to talk about intent  
3 analysis.

12:23:26

4 I remember the discussion as me saying it depends on  
5 the question. Depending on the question, there is a lot  
6 of different types of data or analysis you would do for  
7 intent.

8 **Q.** We agree, though, sir, that at that deposition you  
9 never did give me a single methodology you had used, true?

12:23:41

10 **A.** I think that's right. I don't recall. But I agree  
11 with you. It was kind of a tense exchange. I didn't  
12 understand it at all. I wasn't doing an intent analysis  
13 at the time. And it does depend on the question.

12:23:57

14 **Q.** But then in trial I asked you the same question. What  
15 kind of methodologies you had used in intent analysis.  
16 And suddenly you had an answer for me. True?

12:24:17

17 **A.** I did answer with some specificity at trial. I recall  
18 that you were quite frustrated. And so I thought maybe  
19 you would be happier if I told you what I did in my  
20 Florida case in *Alvarez*, which is why I explained it at  
21 trial.

12:24:42

22 **Q.** As an experienced expert in litigation, you understand  
23 that it is important for opposing parties to be able to  
24 evaluate not just whether they agree with your conclusions  
25 but whether you did the work correctly in reaching your

1 conclusions, right?

2 **A.** I agree that the opposing side should be able to offer  
3 all of those criticisms. They should be able to offer any  
4 criticisms they want of the work. Whether it's they  
5 didn't like the dataset, they didn't like the figures and  
6 tables, I think all of it is fair game.

12:25:03

7 **Q.** And there are a couple of ways for experts who are  
8 dealing with map drawing robots to evaluate whether or not  
9 an expert like yourself, who has drawn simulated maps, has  
10 drawn simulated maps correctly, right?

12:25:22

11 **A.** I think the word "correctly" is wrong. I think you  
12 can evaluate whatever maps come out. There is not a "this  
13 is the only way to draw it." So I think your question is  
14 not correct.

12:25:44

15 **Q.** Is there a wrong way to do it? Can you mess it up?

16 **A.** There are different choices you can make in using the  
17 redist package or other packages. But when you implement  
18 your code and implement your maps, you will come up with  
19 maps.

12:26:05

20 Another person can criticize them for any number of  
21 reasons. They can say you didn't follow the Texas  
22 constraints or you didn't do this.

23 But you still produced maps, and you have used the  
24 package correctly. The incorrect way to do it would be  
25 that it doesn't converge. It stops. It doesn't produce

12:26:21

1 maps.

2 But if you have produced maps, you have produced maps.

3 And anyone can come and look at them and look at the

4 results and say whether they agree or disagree. But I

12:26:34

5 don't think it's as simple as there is only one correct

6 way to do a map.

7 **Q.** And that's not my question.

8 **A.** Okay.

9 **Q.** There is a difference between there being more than

12:26:42

10 one way to skin a cat, and also a definitely wrong way to

11 skin a cat, right?

12 **A.** I'm not familiar with this.

13 **Q.** But you understand the analogy, I bet?

14 **A.** I am not really.

12:26:54

15 **Q.** Well, let's see. When I asked you about being able to

16 evaluate whether or not your methodology was conducted

17 correctly, you said, I'm not sure that there is a correct

18 methodology. You just have to do the thing. And if you

19 do the thing and you get maps, that's all anybody should

12:27:10

20 care about. Is that right?

21 **A.** I don't think I said that.

22 **Q.** Does it require expertise to apply this methodology or

23 not?

24 **A.** Sure. You have to know how to use the redist

12:27:19

25 package --

1 Q. And if you don't, you can do it wrong. True?

2 A. You could make mistakes.

3 Q. And just like any other kind of expertise, in order to  
4 evaluate, in this case the maps, but whatever the  
5 conclusions of the expert opinion are, I need to be able  
6 to know how you came to that conclusion, what your  
7 methodology was, what steps you took. True?

12:27:32

8 A. I agree you need to know what steps I took, yes.

9 Q. Something that you could give me or my experts to help  
10 me evaluate the steps that you took would be to disclose  
11 code and inputs, right?

12:27:48

12 A. Code and underlying files. I think that's what you  
13 mean by "inputs."

14 Q. And we'll talk about specifically what some of these  
15 terms mean.

12:28:04

16 Another way that -- another set of information you  
17 could give me or my experts to evaluate whether or not you  
18 applied the correct methodology would be to give me your  
19 outputs or the actual maps you drew, right?

12:28:18

20 A. No.

21 Q. You cannot give -- you could not give me outputs? Is  
22 that right?

23 A. My -- the question is you don't need those.

24 Q. My question is: Could I evaluate your methodology if  
25 I had them?

12:28:31

1 **A.** No. Not my methodology.

2 **Q.** Could I evaluate whether or not your maps were the  
3 correct kind of product for your stated methodology if I  
4 had those maps?

12:28:44

5 **A.** No.

6 **Q.** Did you rely on the maps that were output by your  
7 methodology?

8 **A.** I relied on the simulation ensemble results to --

9 **Q.** Which are maps, right?

12:28:55

10 **A.** Yes -- to create the histograms and tables. They are  
11 stored in the memory as a temp file. And then they are  
12 the direct result of the code. So anyone who puts the  
13 code in will get the same exact random distribution of  
14 maps.

12:29:12

15 **Q.** The same exact random distribution, which is different  
16 from the same exact maps.

17 Do I have that right?

18 **A.** Absolutely. It should be.

12:29:26

19 **Q.** Let's talk about what some of these terms mean. We  
20 have used the term, you and Mr. Dunn talked about, "code,"  
21 right?

22 **A.** Yes.

23 **Q.** And we're talking here about computer code, right?

12:29:38

24 **A.** It is what we would probably say is the script or just  
25 the what you type in into the software program R.

1 Q. It's how you tell the robot that you want it to draw  
2 maps, true?

3 A. How you tell the computer program, yes.

12:29:52

4 Q. And it's a language of commands and it's the only way  
5 that the robot and the computer program knows what to do,  
6 right?

7 A. Correct.

12:30:04

8 Q. Another term that we have been talking about -- and we  
9 may use this differently, so I'm glad that you brought  
10 this up -- is the term "input," right?

11 A. We have talked about the term "input," yes.

12:30:22

12 Q. Input, as I understand it, is the specific information  
13 that you give to the robot or the computer program or the  
14 algorithm in order to be able to draw maps the way that  
15 you want for the computer to draw maps, right?

16 A. I would disagree with that.

17 Q. How do you use the term "input"?

12:30:41

18 A. Well, input can be any number of things. You seem to  
19 be referring to data files. And so I would refer to those  
20 as underlying files or databases.

21 Q. Why underlying? Underlying what?

22 A. Underlying the final tables that come out or any  
23 merged files that are created.

24 Q. What do you mean by "merged files"?

12:30:53

25 A. Two files that are joined together during the

1 following of the code.

2 **Q.** Do you have to -- are there times when you have to  
3 merge files before you place them into the code?

4 **A.** With redist or just any time in my life?

12:31:12 5 **Q.** With the robot you are using is only one I care about.

6 **A.** In redist you can draw on a number of different files  
7 and you can merge them in.

8 **Q.** How?

9 **A.** By typing those commands into R. That would be a  
10 piece of information. So to clarify, as you give  
11 the -- as you try to explain what you've done, you tell  
12 people the information you are using. So there is a lot  
13 of ways to tell someone the information you are using,  
14 which is take this data file, which has VTD election  
12:31:28 15 results for Trump/Harris, and merge it into your shapefile  
16 or overlay your shapefile.

17 **Q.** How do you do that? Is that in the code that you  
18 produced here?

19 **A.** The merging process is a process that takes place  
12:32:02 20 before redist runs.

21 **Q.** Well, I want to make sure I understand before you move  
22 on.

23 MR. KERCHER: Your Honor, may I use the  
24 whiteboard?

12:32:16 25 JUDGE GUADERRAMA: Yes, sir.

1 MR. KERCHER: Any there color-blindness concerns  
2 before I choose what markers I'm going to use?

3 JUDGE BROWN: No.

4 MR. KERCHER: Dr. Barreto?

12:32:30 5 THE WITNESS: No.

6 BY MR. KERCHER:

7 **Q.** Can you see this okay?

8 **A.** Yes.

9 **Q.** All right. So you have got your robot that's going to  
10 draw maps, right? But this is just code. Without actual  
11 data to put into the code, the code won't run, right?

12 **A.** The code can call data.

13 **Q.** But it needs data, right?

14 **A.** It can call data. It can go on the website and  
15 download the data and then merge it in.

16 **Q.** I think we're saying the same thing.

17 It needs data, right? Otherwise, code is just -- it's  
18 static. In order for the code to do something, it needs  
19 data to run through the code, right?

12:33:09 20 **A.** It needs to access data. The code itself can access  
21 the data.

22 **Q.** So you will have data of various sorts that you know  
23 the code will need, right?

24 **A.** Correct.

12:33:22 25 **Q.** And you may have multiple kinds of data that the code

1 will need, right?

2 **A.** Yes.

3 **Q.** And a moment ago you said that sometimes you need to  
4 merge the data, right?

12:33:34 5 **A.** Correct.

6 **Q.** And you said that merging the data happens before you  
7 put it into the code, right? Before you pull up redis?

8 **A.** It's code that you write to merge the data.

9 **Q.** Well, but the code that --

12:33:50 10 JUDGE GUADERRAMA: Mr. Kercher, I'm going to ask  
11 you to use the microphone, please. Thank you.

12 MR. KERCHER: Can you hear me now?

13 JUDGE GUADERRAMA: Yeah. I heard it.

14 MR. KERCHER: Is that better?

12:34:24 15 JUDGE GUADERRAMA: Okay.

16 BY MR. KERCHER:

17 **Q.** So a moment ago I asked you about merging data files,  
18 right?

19 **A.** You did.

12:34:30 20 **Q.** And I heard you say that merging data files happens  
21 outside the redis software; is that right?

22 **A.** Well, it can happen inside. It happens in the R, too.

23 **Q.** Did you produce the code that you used to merge data  
24 files?

12:34:45 25 **A.** I think we just produced statements that said merge

1 these three data files together, which every single person  
2 who has used redis will use the same commands to merge  
3 the three data files together. I believe those are the  
4 first three what are called comments in our code.

12:35:16

5 **Q.** Well, while I appreciate you saying folks who are  
6 familiar with redis will know how to use R or to merge  
7 data files, it's your belief, and I think you said this,  
8 that Dr. Trende knows how to merge data files, right?

9 **A.** Yes.

12:35:31

10 **Q.** You understand, though, and I think we talked about  
11 how sometimes in litigation, unfortunately, there may be a  
12 lack of trust, right?

13 **A.** Could be.

12:35:42

14 **Q.** You understand that I'm not worried about whether or  
15 not Dr. Trende does his job correctly. I need to see you  
16 do yours, right?

17 **A.** I mean, I don't know what you want me to say. You  
18 just told me what you need. I don't know what you need.  
19 I mean, I don't know if the answer is yes or no to that.

12:35:57

20 I mean, you can tell me it's yes and then I'll agree with  
21 you, but I don't know the answer.

22 **Q.** You had multiple data files that you merged into a  
23 particular kind of file. Is that true?

12:36:10

24 **A.** I had three files: two off the TLC website, one off  
25 of the census website. All three files are files that

1 Dr. Trende had used before in this case. And I said merge  
2 these three files together. And then Dr. Trende did his  
3 report and replicated my results.

4 MR. KERCHER: Richard, could you please bring up  
12:36:29 5 Defense Exhibit 1522. Can we make that a little bit  
6 bigger, sir.

7 BY MR. KERCHER:

8 Q. Dr. Barreto, can you see that okay? It's awfully  
9 small for me.

12:37:10 10 A. I can see it.

11 Q. You recognize this as some of the code that you  
12 produced in preparation for this hearing, right?

13 A. Yes.

14 Q. I want to ask you a few questions about it. First of  
12:37:20 15 all, who wrote this code? Was it you or Mr. Rios?

16 A. Mr. Rios.

17 Q. Why did you not write the code?

18 A. Mr. Rios, when we split up work assignments, often  
19 takes the first crack at writing the code. And then I  
12:37:34 20 review it. And then we move on to the next steps.

21 Q. Could you have written this code yourself without his  
22 help?

23 A. Maybe not the entire thing, but probably most of it.

24 Q. Is it right to say that you don't know?

12:37:45 25 A. I would say I could probably write the entire -- or

1 most of it, but not the entire thing. I would have  
2 definitely consulted him, which is my normal practice.

3 **Q.** There are a couple of coding pieces that I want to ask  
4 you about.

12:38:03 5 MR. KERCHER: I may be going back and forth from  
6 the whiteboard, Your Honor. Would you like me to ask  
7 permission every time I move about the well?

8 JUDGE GUADERRAMA: No.

9 MR. KERCHER: Left my mic.

12:38:22 10 BY MR. KERCHER:

11 **Q.** Sometimes in your code, Dr. Barreto, you will see  
12 something that is a percent sign followed by a  
13 greater-than sign followed by another percent sign.

14 Do you see that in your code?

12:38:36 15 **A.** I don't.

16 MR. KERCHER: Richard, could you control F for  
17 that and let's find an example.

18 BY MR. KERCHER:

19 **Q.** Do you see it now, sir?

12:38:58 20 **A.** Yes.

21 **Q.** What does that do?

22 **A.** In this section here we're subsetting the data.

23 **Q.** Well, specifically, the percent sign, greater-than,  
24 percent sign, what does that mean?

12:39:11 25 **A.** It's the command that then precedes the filter. So

1 I'm trying to explain what we're doing in this section  
2 here, which is that we're now filtering the data down to  
3 just four counties. And it's a subset of the larger  
4 dataset that we started with called Texas 24. And we're  
12:39:30 5 telling it to filter down to just these counties, Harris,  
6 Liberty, and Fort Bend, those three.

7 And so the "HLFB" at the beginning is the new object  
8 that we're going to have out of Texas 24, which was the  
9 one we started with. And the next line below it tells us  
12:39:49 10 where to get that from.

11 **Q.** Right. But there is a name, isn't there, in the -- in  
12 coding, in `redist` for percent sign, greater-than, percent  
13 sign. That does something. It has a character name,  
14 doesn't it?

12:40:03 15 **A.** I don't know it off the top of my head.

16 **Q.** It's called a pipe operator. Isn't that true, sir?

17 **A.** That sounds right.

18 **Q.** What do pipe operators do in R?

19 **A.** In this case, we're using it to filter the data down  
12:40:15 20 to just these specific counties. We started --

21 **Q.** Well, when you talk about what it does in this case,  
22 what generally does a pipe operator do in the R coding  
23 language?

24 **A.** It's something that you can use when you are drawing  
12:40:29 25 on a larger dataset and you want to tell it to narrow your

1 focus or narrow your scope. So it's something that can be  
2 used in a number of instances.

3 **Q.** A pipe operator allows coders to string functions  
4 together more precisely. Isn't that true?

12:40:47

5 **A.** That's one of the things that it can do.

6 **Q.** It's not just a subsetting code. It can be used to  
7 string any number of functions together. True?

8 **A.** Most commands can be used for multiple functions. In  
9 this case I was describing what we were using it for.

12:41:06

10 **Q.** I understand. But then I asked you a question about  
11 how else it can be used, and you gave me the same answer.

12 Did you know before today that pipe operators can be  
13 used to string together any number of functions?

14 **A.** I know that it can be used. That it's a diverse  
15 function that can be used for a lot of reasons, yes.

12:41:20

16 **Q.** This is -- this is a particular version of a pipe  
17 operator in R, right? Do you know what it's called?

18 **A.** I told you I'm not familiar with this specific one.  
19 This is part of the code that Mr. Rios wrote.

12:41:38

20 **Q.** It's called the tidyverse version. Does that sound  
21 familiar?

22 **A.** Yes.

23 **Q.** Is there another name for the version, do you know?

24 **A.** I don't know.

12:41:45

25 **Q.** Does "dplyr" sound familiar?

1 **A.** Yes.

2 **Q.** Let's do another one. Sometimes in code you'll see  
3 this vertical bar followed by the greater-than sign.

4 Are you familiar with that?

12:42:07 5 **A.** I have seen that command, as well, many times.

6 **Q.** And for the Court's reference, if I can show that  
7 vertical bar on a QWERTY keyboard is just above the enter  
8 key on your keyboard. It is just above the backwards  
9 slash.

12:42:33 10 What does that do in R?

11 **A.** Can you show me an example?

12 MR. KERCHER: Richard.

13 **A.** I don't know off the top of my head what its function  
14 is, but it's part of up here in redis where we're setting  
15 the constraints and we're being very specific in trying to  
16 specify all of the constraints. And so each line is an  
17 additional set of constraints.

18 BY MR. KERCHER:

19 **Q.** Why are you being very specific? Is this a  
12:43:08 20 particularly important part of the code?

21 **A.** This is a -- we're being specific because we're trying  
22 to match, to the extent possible, the constraints that  
23 Texas put in their map.

24 **Q.** So it is an important part of the code. Fair to say,  
12:43:20 25 right?

1 **A.** All of the code is equally important, so --

2 **Q.** And this -- and this symbol, this vertical bar with  
3 the greater-than sign is used no fewer than one, two,  
4 three, four times in this portion of the coding, right?

12:43:33 5 **A.** On this screen, yeah.

6 **Q.** And you don't know what it does in any one of those  
7 four instances. Is that true?

8 **A.** As I said, this is part of the code that Mr. Rios  
9 wrote. I defer to his writing of it based on the redist  
10 package, which we consulted when writing this. But, no, I  
11 don't have every character memorized and what it does.  
12 That's not what my contribution to the report was.

13 **Q.** Well, in fairness, Mr. Rios is not on the stand,  
14 right?

12:43:59 15 **A.** Okay.

16 **Q.** True?

17 **A.** That's correct.

18 **Q.** Do you know that he is not on the plaintiffs' witness  
19 list at all?

12:44:06 20 **A.** I don't know that.

21 **Q.** So if I need to talk to him about whether or not this  
22 character in your code, in this important part of your  
23 code where you are setting parameters for your map drawing  
24 robot, I cannot ask him whether he did it correctly,

12:44:17 25 right?

1 **A.** You certainly had opportunities and --

2 **Q.** I'm asking about I can't ask him in this hearing.

3 True?

12:44:26

4 **A.** I don't know what the rules are. I know that he is  
5 not on the stand right now --

6 **Q.** What you know for sure is you don't know whether  
7 Mr. Rios used that coding language properly there. True?

12:44:42

8 **A.** I trust, based on all of my work with him and his and  
9 my consultation with the redist package and based on my  
10 conversations with Dr. Imai and Dr. McCartan, as we  
11 discussed earlier, I sent them my code to look at, I trust  
12 that it's correct, yes. Fully, 100 percent.

13 **Q.** This vertical bar with the greater-than sign is  
14 another pipe operator, isn't it, Dr. Barreto?

12:45:03

15 **A.** Could be.

16 **Q.** But this is the pipe operator for base R, isn't it?

17 **A.** It could be.

18 **Q.** You just don't know?

19 **A.** I do not know.

12:45:11

20 **Q.** Base R is just the basic package that you get when you  
21 upload R to your computer in the first place. True?

22 **A.** That sounds right.

23 **Q.** It's the out-of-the-box version, if you will?

24 **A.** That sounds right.

12:45:27

25 **Q.** ChatGPT uses the base R pipe operator, doesn't it?

1 **A.** I have never used ChatGPT in my life, so I don't know.

2 **Q.** What about Mr. Rios, does he use ChatGPT to write your  
3 code?

4 **A.** No.

12:45:43 5 **Q.** Did you ask him?

6 **A.** Yes.

7 **Q.** Specifically?

8 **A.** I know he writes his own code.

9 **Q.** Did you ask him specifically?

12:45:50 10 **A.** I did not ask him that because I don't have to,  
11 because I implicitly trust him. He is a very excellent  
12 data scientist and he said --

13 **Q.** Why did he flip back and forth between the two kinds  
14 of pipe operators that we see in your code? What is the  
15 reason for that?

12:46:02

16 **A.** Those are just choices that data scientists make in  
17 writing code.

18 **Q.** My question is: Why did he make that one?

19 **A.** They both are pipe operators that serve an equal  
20 function and can get the job done. This --

12:46:11

21 **Q.** Do you not know the answer to the question, sir?

22 **A.** I told you already that Mr. Rios wrote this code --

23 **Q.** Right. And my question is, since you are relying on  
24 him, why he chose to use one pipe operator in one place

12:46:25

25 and another pipe operator in another place. And if you

1 don't know, then that may be the truthful answer you have  
2 to give.

3 **A.** I do not know.

12:46:45

4 MR. KERCHER: Could we pull up the "upload"  
5 portion of this.

6 (Sotto voce discussion between counsel.)

7 MR. KERCHER: We're in the same exhibit.

8 BY MR. KERCHER:

12:47:42

9 **Q.** All right. We're back looking at your code. Right  
10 now Richard has highlighted words that say "upload merged  
11 data."

12 Do you see that?

13 **A.** Yes.

12:47:53

14 **Q.** And then the next line down begins with a pound sign  
15 or, as my kids call it, a hashtag; and it says [as read:]  
16 Dataset needs CVAP 2024 presidential election results and  
17 C2333 boundaries merged at the VTD level.

18 Do you see that?

19 **A.** I do.

12:48:09

20 **Q.** Next it says Texas\_24. And there is a less-than sign,  
21 a dash. And then it says F-R-E-A-D, open parenthesis,  
22 open quotation, filepath, all one word, closed quotation,  
23 close parenthesis.

24 Do you see that?

12:48:29

25 **A.** Yes.

1 Q. The word "filepath" here is sort of a Mad Libs blank  
2 where you can put data into the code, right?

3 A. This in this case is the result of those three  
4 datasets above.

12:48:43

5 Q. So you may be a step ahead of me.

6 Filepath is not part of the code. It is a place where  
7 you will put data for the code to read, right?

8 A. Somewhere on your laptop or cloud or wherever each  
9 operator stores this would be a different filepath.

12:49:06

10 Q. And your code gives a little description of how you  
11 fill in the blank that filepath is keeping for us, right?

12 A. Correct.

13 Q. In order to run the code, in order for the robot to  
14 perform the task that you are instructing it to do, you

12:49:23

15 have to type something elsewhere where word "filepath" is,  
16 right?

17 A. You put your merged data there.

18 Q. Well, what did you type in place of filepath?

19 A. The three datasets that we supplied, our underlying  
20 data, which we supplied both in the May trial and in this

12:49:40

21 hearing. We merged those together using the exact same  
22 processes that Dr. Trende and everyone else merges --

23 Q. Well, I'm asking what you typed. So did you just type  
24 in an Excel filepath name and then a semicolon like you do

12:50:01

25 with an email and in Word a doc file name? What did you

1 type instead of filepath?

2 **A.** I don't know what was in the filepath. I know it is  
3 the merged combination of these three datasets, which are  
4 the underlying datasets that we provided that Dr. Trende  
5 used in his 2022 report and --

12:50:15

6 **Q.** I want to go back to the part where you said you don't  
7 know what got typed into your code.

8 Did I hear that part correctly?

9 **A.** What was the question?

12:50:28

10 **Q.** Whether you know what got typed into the code on which  
11 you are relying for your conclusions in this hearing?

12 **A.** Mr. Rios merged the filepath together, and so I don't  
13 know exactly what the file was part. That was his part of  
14 the report.

12:50:57

15 **Q.** When I hear the words or the word "filepath," it makes  
16 me think that there must be a path to a file on the  
17 computer somewhere.

18 Am I tracking correctly so far?

19 **A.** It simply means the location of the merged dataset  
20 that you are calling Texas 24.

12:51:11

21 **Q.** Okay. And so --

22 **A.** It's the object that you are creating. And it is the  
23 result of merging those three datasets that we provided.

24 **Q.** So the way that I think about it is, is that sometimes  
25 on the computer the State has supplied to me from

12:51:24

1 Microsoft, if I'm looking for a particular file, it may be  
2 stored on my desktop, but it may be stored in a particular  
3 file on my desktop, maybe I have labeled it work, and  
4 maybe I'll have a sub folder inside of work that says  
5 redistricting, and a sub file there that says 25. And  
6 then maybe another sub file that says Barreto CX.

12:51:44

7 And if I want to see -- when I'm searching for a file  
8 on my computer and I finally find that file, I can see up  
9 in the search line of my computer the filepath to where  
10 my -- to where that particular file is.

12:52:15

11 Is that what "filepath" means in your code?

12 **A.** It's telling you where the directory, that would be  
13 different for every user, where they are putting this  
14 merged file.

12:52:26

15 **Q.** Right. And I understand that filepath will be  
16 different for the user and for the given file. But in  
17 terms of the way that filepath works, what it is holding a  
18 place for, it's telling the user -- it's telling the robot  
19 where the particular file you want for the code to run is.

12:52:42

20 True?

21 **A.** It's -- it can be a particular location. It can be  
22 the result of a merge process. It can be a number of  
23 different things. It doesn't have to necessarily be a  
24 physical location on your hard drive. Many of the times

12:52:56

25 these are done where you call three different files, merge

1 them together, and then you have your data to start  
2 analyzing. So it's --

3 **Q.** But merging them together happens before they get into  
4 the filepath, right? No. Let me ask you a better  
5 question.

12:53:10

6 I'm trying to figure out what the thing is. And I  
7 understand that the data path -- the filepath may be  
8 different depending upon what data you are using. But  
9 when you put something into that filepath, what is it? Is  
10 it a PDF? Is it a Word document? Is it something else?

12:53:22

11 **A.** It's a -- it's the result of the merge.

12 **Q.** What is the result of the merge?

13 **A.** It is --

14 **Q.** Is that a kind of file?

12:53:31

15 **A.** Yes.

16 **Q.** What kind of file is it?

17 **A.** In this case it's a database file or a shapefile that  
18 is the result of these three files coming together. It's  
19 not necessarily something that's saved. It's taking these  
20 three other files, merging them together, and then start  
21 running all the rest of the code that's on there.

12:53:43

22 **Q.** Did you produce that merged shapefile?

23 **A.** We did not save a merged shapefile.

24 MR. KERCHER: Objection. Nonresponsive.

12:53:57

25 THE WITNESS: We don't have a merged shapefile.

1 JUDGE GUADERRAMA: Sustained to both answers.

2 BY MR. KERCHER:

3 Q. Did you produce the merged shapefile?

4 A. We do not have one. So the answer is no. I have  
5 not --

12:54:10

6 Q. There was a shapefile that went into your filepath  
7 code. True?

8 A. I produced that.

9 Q. The shapefile?

12:54:17

10 A. I produced three data pieces that went in: the VTD  
11 election results, the C2333 shapefile, and the census  
12 block CVAP data. Those three pieces of data are the same  
13 three pieces of data that Dr. Trende used and merged  
14 together and then started running his analysis. It is not  
15 required to stop and save that shapefile, that filepath,  
16 and save it somewhere on your hard drive. You can just  
17 run it as you have analyzed it.

12:54:39

18 So we did not save any shapefile to then produce. We  
19 created the merge and then kept doing the analysis.

12:54:55

20 That's the normal process.

21 Q. So what you produced were three individual data files,  
22 correct?

23 A. Correct.

24 Q. You or Mr. Rios merged these data files, true?

12:55:17

25 A. Yes.

1 Q. The code that you used to merge these data files, you  
2 did not produce. True?

3 A. We did not produce it because it's --

4 Q. You also --

12:55:26 5 A. -- super basic.

6 Q. -- did not produce the merged shapefile. True?

7 A. We did not create or save one. So, no, there was  
8 nothing for us to produce. Just the three underlying data  
9 points which are the starting point.

12:55:38 10 Q. Help me understand how you had a merged shapefile and  
11 you are saying you never had it. Is there a merged  
12 shapefile or not?

13 A. Do you understand what a temp file is?

14 Q. I'm asking you whether there is a merged --

12:55:49 15 A. I'm trying to explain to you --

16 Q. -- shapefile that you used in your data.

17 A. It doesn't save. You merge it just like the object  
18 simulation results. You have the three underlying files.  
19 They merge together. It sits in a temp file. You then  
12:56:02 20 can run the rest of the analysis. You are not required to  
21 stop and save that and put it in a folder. You can just  
22 keep running your analysis.

23 Q. I'm not asking you what you think is required or not.

24 A. I'm telling you what we did.

12:56:14 25 Q. You had a shapefile at one point. Is that true?

1 **A.** That's -- I had C2333 shapefile and I had --

2 **Q.** And we know, don't we, from the data that you have  
3 produced and that other experts have produced in this case  
4 that shapefiles are saveable things. True?

12:56:28

5 **A.** You could have stopped and added code and hit save.  
6 But it is not necessary. And it is not part of my normal  
7 practice. And it is not part of the practice you would  
8 need. You just need the underlying files. And that's  
9 what we produced. And that is consistent with what

12:56:44

10 Dr. McCartan and Dr. Imai said. And that is consistent  
11 with our social science practices.

12 **Q.** So you neither produced the merged shapefile nor the  
13 code you used to merge these data files. True?

12:56:59

14 **A.** The -- there is no merged shapefile that was saved, so  
15 we did not produce that. I'm not trying to argue with  
16 you. We did not create and then not produce something.  
17 It was not saved anywhere.

18 The only thing that we saved are the three underlying  
19 files that go into the program.

12:57:11

20 **Q.** Is it easy to merge those shapefiles together? Do you  
21 have to be an expert to do it?

22 **A.** Anyone who knows how to use redist or R. You could  
23 also merge these files together for ecological inference,  
24 RPV analysis, because you need both race and VTD.

12:57:27

25 **Q.** So, for example, Dr. Trende could merge these data

1 files together, as I think you have stated, right?

2 **A.** He did merge them together.

3 **Q.** But that's different from being -- from me being able  
4 to evaluate whether you merged the data files correctly,  
5 right?

12:57:40

6 **A.** No.

7 MR. KERCHER: Richard, could you please bring up  
8 ECF-1193. And let's go to page 10. And let's zoom in on  
9 that first full paragraph.

12:58:16

10 BY MR. KERCHER:

11 **Q.** I'll represent to you, Dr. Barreto, this is from your  
12 lawyer's response to our motion to strike. They wrote to  
13 the Court [as read:] Despite the explanation reproduced  
14 above, defendants continue to insist there is some  
15 foundational shapefile or merged dataset that Dr. Barreto  
16 is hiding. There is not. Just as Dr. Trende did with his  
17 own simulation analysis, Dr. Barreto instructed R to  
18 gather the relevant files from their saved location on the  
19 computer, which files have all been produced and  
20 identified for defendants, and run the analysis from  
21 there. Defendants also cite for the first time another  
22 line in Dr. Barreto's code they insist reveals some  
23 subterfuge.

12:58:27

12:58:43

24 That's that filepath line that we were just talking  
25 about, right?

12:58:58

1 **A.** Yes.

2 **Q.** [as read:] Defendants assert that in this code  
3 filepath is a placeholder for the data to be run through  
4 the code, an unproduced shapefile.

12:59:10 5 [as read:] This is incorrect, they said. Filepath is  
6 merely a note to insert the location on the computer where  
7 the relevant file is stored, in this case, CVAP data,  
8 election results, and relevant redistricting map. All of  
9 those files were produced to defendants. There is no  
10 unproduced shapefile.

11 Did I read that correctly?

12 **A.** I think so.

13 **Q.** You merged this data into a shapefile. You didn't  
14 save it, and you didn't produce it. True?

12:59:43 15 **A.** We did not save any shapefile after the merge. That  
16 is true. So I had nothing to produce.

17 **MR. KERCHER:** I think now is a good time to break  
18 in the cross if the Court wants to break for lunch.

19 **JUDGE GUADERRAMA:** Let's be back at 2:15. We are  
01:00:00 20 in recess until then.

21 **COURT SECURITY OFFICER:** All rise.

22 **MR. VELEZ:** This court stands in recess.

23 (Morning proceedings adjourned at 12:59 p.m.)

24 \*\*\*\*\*

25

1 Date: October 14, 2025

2 **COURT REPORTER'S CERTIFICATE**

3 I, Laura Wells, certify that the foregoing is a  
4 correct transcript from the record of proceedings in the  
5 above-entitled matter.

6                   /s/ Laura Wells                  

7 Laura Wells, CRR, RMR

8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

RETRIEVED FROM DEMOCRACYDOCKET.COM